

M A S T E R I N D E X

NOVEMBER 5, 2013; VOLUME 7

CHRONOLOGICAL AND ALPHABETICAL INDEX OF WITNESSES

<u>PLAINTIFFS'</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
BLUESTONE, RODNEY, M.D.	639	669	707 714	711
DJABOURIAN, RAFFI, M.D.	717	733	741	
WOLFF, MANFRED, M.D.	744	783	796	

EXHIBITS

<u>JOINT EXHIBIT</u>		<u>FOR I.D.</u>	<u>IN EVD.</u>	<u>WITHDRAWN OR REJECTED</u>
102-1 TO 102-6	1/11/10 MEDICAL RECORDS OF BRADLEY SPIEGEL, M.D.	709	716	
114-1 TO 114-26	AUTOPSY REPORT	719	720	
136	CURRICULUM VITAE OF RODNEY BLUESTONE, M.D.	672		
137	BILLING RECORDS OF RODNEY BLUESTONE, M.D., THROUGH AUGUST 2012	679		

1 CASE NUMBER: BC457891
2 CASE NAME: DE ROGATIS VS. SHAINSKY
3 PASADENA, CALIFORNIA TUESDAY, NOVEMBER 5, 2013
4 DEPARTMENT P HON. JAN A. PLUIM, JUDGE
5 REPORTER: KAREN E. KAY, CSR NO. 3862
6 TIME: A.M. SESSION

7 APPEARANCES:

8 PLAINTIFFS LINDA DE ROGATIS AND PETER DE ROGATIS
9 ARE PRESENT WITH THEIR COUNSEL, GEORGE B. NEWHOUSE,
10 JR., AND KATHERINE C. MC BROOM, ATTORNEYS AT LAW
11 DEFENDANT KAREN MICHELLE SHAINSKY, D.O., IS PRESENT
12 WITH HER COUNSEL, RAYMOND L. BLESSEY, ATTORNEY AT
13 LAW

14
15 (THE FOLLOWING PROCEEDINGS WERE HELD
16 IN OPEN COURT, OUTSIDE THE PRESENCE
17 OF THE JURY:)

18
19 THE COURT: LET'S GO ON THE RECORD OUTSIDE THE
20 PRESENCE OF THE JURY. COUNSEL ARE PRESENT. PARTIES ARE
21 PRESENT.

22 ANYTHING WE NEED TO TAKE UP THIS MORNING?

23 MR. NEWHOUSE: NO, YOUR HONOR.

24 MR. BLESSEY: NO, YOUR HONOR.

25 MR. NEWHOUSE: I THINK CINDY IS OUTSIDE COUNTING
26 THE JURORS.

27 THE COURT: ALL RIGHT.

28 MR. NEWHOUSE: WE'RE READY TO GO.

1 THE COURT: SHE MAY HAVE TO TAKE HER SHOES OFF TO
2 GET THAT HIGH.

3 MR. BLESSEY: JUST TO FILL YOU IN, JUST TO GET IT
4 ON THE RECORD, WE DID MEET AND CONFER LAST NIGHT ON THE
5 SPECIAL VERDICT FORM. ACTUALLY, I HAVE COPIES FOR THE --
6 A COPY FOR THE COURT AND I HAVE COPIES FOR COUNSEL, SO WE
7 MADE PROGRESS THERE.

8 THE COURT: OKAY.

9 MR. BLESSEY: WE ALSO WENT OVER THE JURY
10 INSTRUCTION. I THINK THERE MAY BE MAYBE TWO OR THREE THAT
11 ARE, RIGHT NOW, IN DISPUTE. I THINK IT WOULD DEPEND ON
12 THE EVIDENCE.

13 THE COURT: OKAY. FAIR ENOUGH.

14 WHO ARE YOU GOING TO START WITH THIS
15 MORNING?

16 MR. NEWHOUSE: DR. RODNEY BLUESTONE, AND HE'S HERE,
17 READY TO GO.

18
19 (THE FOLLOWING PROCEEDINGS WERE HELD
20 IN OPEN COURT, IN THE PRESENCE OF
21 THE JURY:)

22
23 THE COURT: GOOD MORNING, LADIES AND GENTLEMEN.
24 WE'RE BACK ON THE RECORD. ALL JURORS ARE PRESENT. THE
25 PARTIES ARE PRESENT. LAWYERS ARE PRESENT.

26 COUNSEL, NEXT WITNESS.

27 MS. MC BROOM: CALL DR. RODNEY BLUESTONE. I
28 BELIEVE HE'S ON HIS WAY IN RIGHT NOW.

1 THE CLERK: PLEASE RAISE YOUR RIGHT HAND.

2 DO YOU SOLEMNLY STATE THAT THE TESTIMONY YOU
3 MAY GIVE IN THE CAUSE NOW PENDING BEFORE THIS COURT SHALL
4 BE THE TRUTH, THE WHOLE TRUTH, AND NOTHING BUT THE TRUTH,
5 SO HELP YOU GOD?

6 THE WITNESS: YES, I DO.

7 THE CLERK: PLEASE HAVE A SEAT. PLEASE STATE YOUR
8 NAME AND SPELL YOUR NAME FOR THE RECORD.

9 THE WITNESS: MY NAME IS RODNEY BLUESTONE,
10 B-L-U-E-S-T-O-N-E.

11 THE COURT: AND WELCOME, DOCTOR.

12 THE WITNESS: THANK YOU, SIR.

13 MS. MC BROOM: THANK YOU, YOUR HONOR.

14 THE COURT: YOU MAY PROCEED.

15

16 RODNEY BLUESTONE, M.D.,

17 CALLED AS A WITNESS BY THE PLAINTIFFS, WAS DULY SWORN AND
18 TESTIFIED AS FOLLOWS:

19

20 DIRECT EXAMINATION

21 BY MS. MC BROOM:

22 Q GOOD MORNING, DR. BLUESTONE.

23 A GOOD MORNING.

24 Q WHAT IS YOUR OCCUPATION?

25 A I'M A PHYSICIAN.

26 Q WHAT TYPE OF PHYSICIAN ARE YOU?

27 A I'M A RHEUMATOLOGIST.

28 Q AND CAN YOU DESCRIBE FOR US WHAT A

1 RHEUMATOLOGIST IS?

2 A A RHEUMATOLOGIST SPECIALIZES IN THE
3 DIAGNOSIS AND CARE OF PATIENTS WITH VARIOUS RHEUMATOLOGIC
4 DISEASES, BETTER KNOWN MAYBE AS ARTHRITIC DISEASES PLUS
5 VARIOUS AUTOIMMUNE STATES WHICH OFTEN CAUSE JOINT
6 PROBLEMS.

7 Q ARE YOU CURRENTLY PRACTICING?

8 A YES, I AM.

9 Q OKAY. AND WHERE IS YOUR OFFICE LOCATED?

10 A MY OFFICE IS IN BEVERLY HILLS, CALIFORNIA.

11 Q TELL US YOUR EDUCATIONAL BACKGROUND.

12 A I BECAME A PHYSICIAN IN 1960, GRADUATED
13 LONDON UNIVERSITY. I THEN DID A SERIES OF INTERNSHIPS AND
14 FELLOWSHIPS ENCOMPASSING INTERNAL MEDICINE, NEUROLOGY,
15 VENERELOGY, PSYCHIATRY, CARDIOLOGY. AND THEN I WENT TO
16 BE CHIEF RESIDENT AT THE UNIVERSITY OF THE WEST INDIES IN
17 JAMAICA FOR A YEAR, AND THEN AFTER -- FOR WHICH I WAS A
18 BRITISH HEART FOUNDATION PACEMAKING FELLOW DOING
19 CARDIOLOGY AND PACEMAKING.

20 I CAME BACK FROM JAMAICA IN 1966 TO BECOME A
21 FELLOW IN RHEUMATOLOGY AT THE ROYAL POSTGRADUATE MEDICAL
22 SCHOOL IN LONDON. THAT'S THE ENGLISH EQUIVALENT OF THE
23 N.I.H. I DID A FOUR-YEAR FELLOWSHIP TO BECOME A
24 RHEUMATOLOGIST, AND YEAR THREE OF THAT, I WAS SENT AS AN
25 ARTHRITIS AND RHEUMATISM TRAVELING FELLOW TO LOS ANGELES
26 TO DO RESEARCH, AND I DID THAT RESEARCH AT U.C.L.A.

27 JUST BEFORE I LEFT LOS ANGELES TO GO BACK TO
28 DO MY FOURTH YEAR IN LONDON, THEY OFFERED ME THE JOB OF

1 CHIEF OF RHEUMATOLOGY AT WADSWORTH V.A. HOSPITAL. I
2 ACCEPTED THAT POSITION. THEY HELD IT OPEN FOR ME FOR A
3 YEAR. I CAME BACK A YEAR LATER TO BE CHIEF OF ARTHRITIS
4 AT THE V.A. HOSPITAL AND TO BE ASSISTANT PROFESSOR OF
5 MEDICINE AT U.C.L.A. I THEN SPENT THE NEXT NINE YEARS
6 DOING RESEARCH, TEACHING, PATIENT CARE, AND BECAME A FULL
7 PROFESSOR OF MEDICINE BY THE MID-1970S.

8 AND IN 1980 OR LATE '79, I LEFT ACADEMIC
9 MEDICINE AND RELINQUISHED MY POSITION AS PROFESSOR OF
10 MEDICINE AT U.C.L.A. AND BECAME A CLINICAL PROFESSOR IN
11 MEDICINE AND MOVED INTO PRIVATE PRACTICE, WHERE I'VE BEEN
12 EVER SINCE.

13 AND SINCE THEN, SINCE 1980, I HAVE DEVOTED
14 MY ENTIRE LIFE TO PATIENT CARE, TO TEACHING AND
15 BECOMING -- HEADING ORGANIZATIONS. I BECAME PRESIDENT OF
16 THE SOUTHERN CALIFORNIA CHAPTER OF THE ARTHRITIS
17 FOUNDATION. I BECAME CHAIRMAN OF LUPUS INTERNATIONAL. I
18 BECAME CHAIRMAN OF THE ANKYLOSING SPONDYLITIS ASSOCIATION.
19 I BECAME CHAIRMAN OF THE SJOGREN'S SOCIETY. SO I DID A
20 LOT OF PATIENT WELFARE WORK AND CHARITY WORK OF THAT KIND.

21 ABOUT TEN YEARS AGO, I VOLUNTARILY GAVE UP
22 MY PROFESSORSHIP TO BECOME AN EMERITUS PROFESSOR; AND AS
23 AN EMERITUS PROFESSOR, IT MEANS IF I WANT TO TEACH AT
24 U.C.L.A., I CAN, BUT I DON'T -- I'M NOT OBLIGED TO. I'M
25 NOT OBLIGATED TO. SO I'M NOW JUST A GRAND OLE DOCTOR WHO
26 TEACHES THERE IF I WANT TO. AND IF I WANT TO ADMIT A
27 PATIENT TO THE HOSPITAL, I HAVE TO ASK PERMISSION, BUT
28 I'VE STILL BEEN DOING THE SAME CLINICAL CARE AND PATIENT

1 EDUCATION THROUGHOUT AND STILL DO IT TO THIS DAY FIVE DAYS
2 A WEEK.

3 Q THANK YOU. WHAT HOSPITAL AFFILIATIONS DO
4 YOU HAVE?

5 A WELL, I DON'T HAVE ANY AFFILIATION BECAUSE I
6 DON'T -- I'M NOT ON THE STAFF ANYMORE. SINCE BECOMING AN
7 EMERITUS PROFESSOR, I'M -- NO LONGER HAVE AN AFFILIATION.
8 IF I WANT TO HAVE -- SEE A PATIENT AT U.C.L.A. OR AT
9 CEDARS-SINAI, I HAVE TO PHONE OVER AND GET PERMISSION, SO
10 I NO LONGER CARRY AN OFFICIAL HOSPITAL AFFILIATION.

11 Q ARE YOU A BOARD-CERTIFIED PHYSICIAN?

12 A YES, I AM BOARD CERTIFIED IN INTERNAL
13 MEDICINE AND IN RHEUMATOLOGY.

14 Q AND ARE YOU REQUIRED TO COMPLETE SOME TYPE
15 OF CONTINUING EDUCATION TO MAINTAIN BOARD CERTIFICATION?

16 A NOT -- NOT FOR MY BOARD BECAUSE I GOT MY
17 BOARDS IN MEDICINE EARLY IN 1973, SOON AFTER COMING HERE
18 AS AN IMMIGRANT. THEN I BECAME -- GOT MY BOARDS IN
19 RHEUMATOLOGY IN ABOUT '76, AND I WAS A FOUNDING FELLOW OF
20 THE AMERICAN COLLEGE OF RHEUMATOLOGY, ONE OF THE FIRST TO
21 GET THAT, SO I'VE BEEN GRANDFATHERED IN. I DON'T HAVE TO
22 DO RE-BOARD EXAMS EVERY TEN YEARS.

23 WHAT I DO HAVE TO DO, OF COURSE, IS FOR MY
24 LICENSE, I HAVE TO DO 55 HOURS OF CONTINUING EDUCATION
25 EVERY TWO YEARS TO MAINTAIN MY CALIFORNIA LICENSE, BUT
26 THAT'S THROUGHOUT THE ENTIRE FIELD OF INTERNAL MEDICINE.

27 Q THANK YOU. DO YOU HAVE A SOLO PRACTICE OR
28 ARE YOU WITH A GROUP?

1 A CURRENTLY, I'M SOLO. I'VE NEVER BEEN WITH A
2 GROUP. FOR MANY YEARS I'VE HAD ASSOCIATES WITH ME, BUT
3 FOR THE LAST YEAR, I'VE BEEN ON MY OWN, SO RIGHT NOW I'M A
4 SOLO PRACTITIONER.

5 Q DR. BLUESTONE, CAN YOU GIVE US A GENERAL
6 DESCRIPTION OF YOUR PRACTICE, YOUR RHEUMATOLOGY PRACTICE?

7 A CERTAINLY. I SEE PATIENTS WHO COME REFERRED
8 TO ME BY OTHER PHYSICIANS OR THEY FIND ME THROUGH THEIR
9 OWN RESOURCES OR THEY FIND ME ON MY WEBSITE OR THEY'VE
10 BEEN RECOMMENDED BY OTHER PATIENTS, AND THEY COME TO ME
11 FOR DIAGNOSIS AND OFTEN MANAGEMENT AND, THEREFORE -- BUT I
12 ONLY SEE PATIENTS AND LOOK AFTER -- ONLY CARE FOR PATIENTS
13 WITH RHEUMATOLOGIC CONDITIONS.

14 AND SINCE I'M ALSO TRAINED IN PEDIATRIC
15 RHEUMATOLOGY, WHEN I WAS TRAINING IN LONDON, I SEE
16 CHILDREN FROM THE AGE OF 12 UPWARDS FOR DIAGNOSIS, NOT FOR
17 FUTURE MANAGEMENT, BUT OFTEN FOR DIAGNOSIS. BUT EVERYONE
18 ELSE, EVERY ADULT I SEE, IF THEY HAVE A RHEUMATOLOGIC
19 PROBLEM, AN ARTHRITIS PROBLEM, I'M AVAILABLE TO LOOK AFTER
20 THEM IF THEY WISH OR TO DIRECT THEIR REFERRING PHYSICIAN
21 ABOUT HOW TO LOOK AFTER THAT PATIENT.

22 Q HAVE YOU PUBLISHED ANY ARTICLES IN YOUR
23 FIELD?

24 A YES. I'VE PUBLISHED A LOT OF ARTICLES WHEN
25 I WAS IN ACADEMICS AND SHORTLY AFTERWARD, SO I'VE
26 PUBLISHED MANY ARTICLES AND WRITTEN BOOKS AND WRITTEN
27 CHAPTERS IN BOOKS. BUT SINCE BEING IN PRACTICE, I HAVE
28 NOT FURTHER ENGAGED IN RESEARCH OR PUBLICATION.

1 Q DO YOU DO ANY WORK OTHER THAN TREATING
2 PATIENTS AND TEACHING AS AN EMERITUS?

3 A WELL, I'VE TOLD THE JURY THAT I'VE WORKED
4 HARD AT SUPPORTING GROUP WORK THAT NURTURE THE WELFARE OF
5 PATIENTS, ARTHRITIS FOUNDATION, LUPUS INTERNATIONAL,
6 SJOGREN'S SOCIETY. SO MOST OF MY WORK OUTSIDE OF THE
7 OFFICE IS IN THAT.

8 BUT I ALSO DO, AND HAVE DONE FOR ABOUT 15
9 PLUS YEARS -- I DO A GREAT DEAL OF WORKERS' COMPENSATION
10 WORK, AND I SEE PATIENTS WHO -- WHERE THERE'S A QUESTION
11 OF WHETHER OR NOT THEY'VE HAD AN INJURY AT WORK THAT'S
12 RESULTED IN SOME KIND OF ARTHRITIS OR THAT THEY HAVE
13 ARTHRITIS, AND THE INJURIES MADE THEM WORSE.

14 SO I'M QUALIFIED -- I'M A QUALIFIED MEDICAL
15 EXAMINER, AND I SEE PATIENTS REFERRED BY ADJUSTERS OR BY
16 ATTORNEYS, SOMETIMES BY THE PATIENTS THEMSELVES WHO CHOOSE
17 THEIR OWN DOCTOR, SO I DO A FAIR AMOUNT OF WORKERS'
18 COMPENSATION WORK IN MY FIELD OF SPECIALTY.

19 Q I TAKE IT YOU ALSO PERFORM SOME
20 MEDICAL-LEGAL WORK AS WELL?

21 A YES. I SOMETIMES GET ASKED TO LOOK AT
22 PATIENTS IN REGULAR LITIGATION, WHETHER IT BE MALPRACTICE
23 OR PERSONAL INJURY, AND SO I'M ASKED TO LOOK AT THOSE
24 ISSUES, AND I LOOK AT THE RECORDS OR LOOK AT THE PATIENT.
25 THEN I LET THE REFERRING ATTORNEY KNOW MY OPINION.
26 WHETHER OR NOT THAT GOES ON ANY FURTHER IS ENTIRELY UP TO
27 THE ATTORNEY, WHETHER THEY LIKE OR DISLIKE WHAT I TELL
28 THEM.

1 Q CAN YOU GIVE US AN IDEA OF THE PERCENTAGE,
2 YOU KNOW, WHAT PERCENTAGE OF TIME YOU DEVOTE TO
3 MEDICAL-LEGAL WORK AS OPPOSED TO YOUR PRACTICE?

4 A CERTAINLY. MY PRACTICE, THE DIAGNOSIS AND
5 LOOKING AFTER PATIENTS, TAKES 80 PERCENT OF MY TIME.

6 MY WORKERS' COMPENSATION WORK PROBABLY TAKES
7 ABOUT 15 TO 18 PERCENT OF MY TIME, SOMETIMES 20 PERCENT,
8 AND MY MEDICAL-LEGAL WORK, LITIGATION WORK OUTSIDE OF WORK
9 INJURY, PROBABLY ABOUT 2 PERCENT MOST YEARS.

10 Q OKAY. HAVE YOU GIVEN A MEDICAL OPINION IN A
11 MEDICAL MALPRACTICE CASE IN THE PAST?

12 A YES, I HAVE, ON SEVERAL OCCASIONS, I
13 BELIEVE.

14 Q AND WHEN DID YOU BEGIN PERFORMING SUCH
15 EXPERT ANALYSIS?

16 A WHEN I WAS PROFESSOR OF MEDICINE AT U.C.L.A.
17 AROUND 1975, THE DEPARTMENT OF MEDICINE ASKED ME TO LOOK
18 AT SOME PATIENTS REGARDING MALPRACTICE SUITS.

19 Q OKAY. AND CAN YOU GIVE US SOME IDEA OF HOW
20 MANY MEDICAL MALPRACTICE CASES YOU'VE WORKED ON IN THOSE
21 YEARS?

22 A WELL, I DON'T HAVE AN ACCURATE NUMBER FOR
23 YOU. MEDICAL MALPRACTICE, I THINK I'VE PROBABLY LOOKED AT
24 ABOUT 20 SITUATIONS, BUT MAYBE I'VE ONLY THEN THEREAFTER
25 BEEN INVOLVED IN PROVIDING TESTIMONY OF ANY KIND MAYBE IN
26 ABOUT TEN SUCH PATIENTS THROUGHOUT MY CAREER.

27 Q AND CAN YOU GIVE US A BREAKDOWN IN TERMS OF
28 PERCENTAGE; WHAT'S THE PERCENTAGE YOU GAVE AN OPINION FOR

1 THE PLAINTIFFS VERSUS THE DEFENSE IN THOSE CASES?

2 A WELL, UNTIL ABOUT TEN YEARS AGO, I WOULD SAY
3 THAT 60 PERCENT OF THE WORK I DID WAS FOR THE DEFENSE, AND
4 40 PERCENT WAS FOR THE PLAINTIFF. AND THAT WAS BECAUSE I
5 USED TO GET ASKED QUITE A LOT BY KAISER PERMANENTE TO LOOK
6 AT THEIR DEFENSE SITUATION, GET READY FOR -- READY FOR
7 PRESENTING TO THEIR LITIGATION COMMITTEE.

8 BUT IN THE LAST TEN YEARS, THAT'S NOT BEEN
9 THE CASE, AND I'D SAY IT'S ABOUT 50/50 NOW THAT I TESTIFY
10 OR GIVE DEPOSITION OR GIVE AN OPINION, ABOUT 50 PERCENT
11 FOR THE PLAINTIFF, 50 PERCENT FOR THE DEFENDANT.

12 Q OKAY. SO YOU HAVE GIVEN OPINIONS ON BEHALF
13 OF THE DEFENSE IN A MEDICAL MALPRACTICE CASE?

14 A YES, I HAVE.

15 Q OKAY. AND IN THOSE CASES, IT WAS YOUR
16 OPINION THAT THE PHYSICIAN ACTED WITHIN THE STANDARD OF
17 CARE?

18 A YES, OR I'VE SAID THAT AND THEN THE
19 REFERRING ATTORNEY HAS CHOSEN NOT TO ENGAGE MY SERVICES.

20 Q SO I TAKE IT IF YOU GIVE AN OPINION TO
21 PLAINTIFF'S COUNSEL THAT THE DOCTOR -- THE DEFENDANT ACTED
22 WITHIN THE STANDARD OF CARE, YOU'RE THEN NOT RETAINED AS
23 THE EXPERT?

24 A THAT HAS HAPPENED ON SEVERAL OCCASIONS.

25 Q NOW, AT MY OFFICE'S REQUEST, DID YOU AGREE
26 TO REVIEW CERTAIN RECORD AND MATERIALS CONCERNING THE
27 MEDICAL TREATMENT OF THE YOUNG WOMAN NAMED TARA
28 DE ROGATIS?

1 A YES.

2 Q AND MY OFFICE HAS RETAINED YOU TO RENDER
3 OPINIONS IN THIS CASE, CORRECT?

4 A THAT IS CORRECT.

5 Q CAN YOU JUST -- WE'LL START WITH THE GENERAL
6 DESCRIPTION.

7 CAN YOU DESCRIBE FOR ME WHAT YOU WERE ASKED
8 TO OPINE ABOUT?

9 A I BELIEVE I WAS ASKED TO SEE WHETHER THE
10 TREATING RHEUMATOLOGIST HAD MATCHED THE STANDARDS OF CARE
11 FOR OUR SPECIALTY. I THINK THAT WAS THE ESSENCE OF IT.

12 Q AND MY OFFICE IS COMPENSATING YOU FOR YOUR
13 PROFESSIONAL TIME AWAY FROM YOUR PRACTICE; IS THAT RIGHT?

14 A THAT IS CORRECT.

15 Q OKAY. AND WHAT ARE YOUR RATES?

16 A I DON'T CARRY THAT NUMBER IN MY HEAD, BUT I
17 THOUGHT THAT CAME OUT AT MY DEPOSITION SO THAT'S ON
18 RECORD.

19 Q OKAY. DOES \$750 AN HOUR FOR RECORD REVIEW
20 SOUND FAMILIAR?

21 A THAT SOUNDS VAGUELY FAMILIAR, YES.

22 Q OKAY. AND 1500 AN HOUR FOR DEPOSITION?

23 A I BELIEVE THAT'S FOR -- YES, I THINK THAT'S
24 CORRECT. IT MAY DEPEND ON THE LENGTH OF THE DEPOSITION.
25 I'M NOT CERTAIN.

26 Q OKAY. DO YOU HAVE SOME IDEA, NOT COUNTING
27 TODAY, WHAT YOU'VE INVOICED FOR YOUR WORK THUS FAR IN THIS
28 CASE?

1 A NO, I DON'T LOOK AT THAT, BUT I DO KNOW THAT
2 WAS DISCUSSED AT MY DEPOSITION, AND I SAW SOME RECEIPTS
3 WHEN I WENT THROUGH MY FILE OVER THIS WEEKEND.

4 Q DID SOMEONE ELSE AT YOUR OFFICE HANDLE THAT?

5 A YES. MY OFFICE MANAGER HANDLES ALL FISCAL
6 MATTERS IN ANY OFFICE.

7 Q HOW DID YOU SPEND THE MAJORITY OF YOUR TIME
8 IN REVIEWING THIS CASE?

9 A I REVIEWED RECORDS THAT WERE SENT TO ME, I
10 THINK, FROM YOUR OFFICE, AND THEN I SPENT TIME THINKING
11 ABOUT THEM AND THEN REREVIEWING THEM. AND I THINK I HAD A
12 CONFERENCE, I BELIEVE, WITH YOUR OFFICE, AND I THINK THAT
13 WAS IN PERSON. AND I SPENT TIME GETTING READY FOR A
14 DEPOSITION, AND I'VE SPENT TIME BEING DEPOSED. AND I'VE
15 SPENT TIME THIS PAST WEEKEND REVIEWING MY ENTIRE FILE,
16 READY FOR THIS TRIAL.

17 Q I WANT TO TURN NOW TO PATIENTS YOU'VE SEEN
18 WHO COMPLAIN OF CHRONIC PAIN.

19 HOW MANY PATIENTS HAVE YOU SEEN WHO COMPLAIN
20 OF CHRONIC WIDESPREAD PAIN IN YOUR OFFICE?

21 A WELL, YOU USED -- YOU USED A VERY SPECIAL
22 TERM. YOU USED THE TERM "CHRONIC WIDESPREAD PAIN."

23 Q THANK YOU. WHY DON'T YOU FIRST START WITH
24 WHAT IS CHRONIC WIDESPREAD PAIN?

25 A THAT'S A VERY MEANINGFUL RHEUMATOLOGIC
26 DIAGNOSIS. CHRONIC WIDESPREAD PAIN MEANS THE PATIENT
27 HURTS EVERYWHERE, EVERYTHING HURTS, AND IT HURTS SO BADLY
28 THEY CAN'T SLEEP AND THEY'RE TIRED, AND SOMETIMES THEY

1 DON'T FUNCTION WELL. AND WHEN THE PHYSICIAN EXAMINES THAT
2 PATIENT AND PERFORMS LOTS OF TESTS, BLOOD TESTS, X-RAYS,
3 M.R.I.'S, THE RHEUMATOLOGIST, THE PHYSICIAN, CAN FIND
4 NOTHING CAUSING THAT PAIN. THERE IS NOT A DISEASE IN THE
5 MUSCLES, BONES, OR JOINTS CAUSING THE PAIN. IT IS,
6 THEREFORE, WHAT WE CALL CENTRALLY MEDIATED. IT'S THE
7 PERSON'S BRAIN TELLING THEM THAT EVERYTHING HURTS,
8 EVERYTHING'S TENDER, EVERYTHING'S DIFFICULT. AND THAT
9 CONDITION IS CALLED CHRONIC WIDESPREAD PAIN.

10 AND THAT TERM IS VERY IMPORTANT TO THE
11 RHEUMATOLOGIST BECAUSE THERE ARE ONLY TWO CAUSES OF
12 CHRONIC WIDESPREAD PAIN: ONE CAUSE IS AN ILLNESS CALLED
13 FIBROMYALGIA, AND ANOTHER CAUSE IS PSYCHIATRIC DISEASE
14 WHERE THE PAINS AND TENDERNESS IS PSYCHO -- PSYCHOSOMATIC
15 OR WHAT WE CALL IN MODERN MEDICINE, PSYCHOPHYSIOLOGIC.
16 THAT DOESN'T MEAN THE PERSON'S IMAGINING THE PAINS AND
17 ONLY IMAGINING EVERYTHING HURTS. IT'S JUST THAT THIS IS
18 BEING GENERATED BY THEIR PSYCHIATRIC STATE.

19 AND SOMETIMES, AND MOST DIFFICULT OF ALL,
20 COUNSELOR, A RHEUMATOLOGIST IS FACED WITH A PATIENT WHERE
21 THERE'S SO MUCH DEPRESSION FROM ALL THE PAIN, YOU CAN'T
22 TELL WHAT CAME FIRST, THE DEPRESSION CAUSING THE CHRONIC
23 WIDESPREAD PAIN OR THE CHRONIC WIDESPREAD PAIN CAUSING THE
24 DEPRESSION. AND THAT'S ONE OF THE MOST DIFFICULT
25 DIFFERENTIAL DIAGNOSES IN RHEUMATOLOGY. SO THAT IS THE
26 TERM "CHRONIC WIDESPREAD PAIN."

27 Q AND HOW MANY PATIENTS HAVE YOU SEEN, WOULD
28 YOU SAY, DURING YOUR PRACTICE WHO COMPLAIN OF CHRONIC

1 WIDESPREAD PAIN?

2 A I'VE SEEN MANY HUNDREDS OF PATIENTS BECAUSE,
3 AS HAVE EVERY RHEUMATOLOGIST, BECAUSE IT'S NOT -- IT'S
4 QUITE A COMMON SITUATION. SO IN MANY RHEUMATOLOGY OFFICES
5 IT MAKES UP 10, 15, 20 PERCENT OF NEW PATIENT REFERRALS,
6 BUT I'VE SEEN MANY MORE THAN THAT BECAUSE IT'S REMARKABLE
7 THAT IN WORKERS' COMPENSATION, A LOT OF PATIENTS END UP
8 COMPLAINING OF CHRONIC WIDESPREAD PAIN AS A RESULT OF
9 INJURY AND, THEREFORE, I SEE MANY OF THOSE PATIENTS WITH
10 THE ATTORNEYS OR THE ADJUSTER SAYING, "DOES THIS PERSON
11 HAVE INJURY CAUSING THIS CHRONIC WIDESPREAD PAIN?" SO
12 I'VE SEEN MANY, MANY HUNDREDS OF SUCH PATIENTS WITH THAT
13 PRESENTATION.

14 Q YOU MENTIONED THE TERM "FIBROMYALGIA"?

15 A YES.

16 Q CAN YOU DESCRIBE FOR US WHAT THAT IS?

17 A YES. FIBROMYALGIA IS A CONDITION WHICH
18 PRESENTS AS CHRONIC WIDESPREAD PAIN, AND, THEREFORE,
19 THERE'S NO UNDERLYING ORGANIC DISEASE IN THE MUSCLES,
20 BONES, AND JOINTS, BUT THEY HURT EVERYWHERE. AND
21 EVERYWHERE IS VERY TENDER USUALLY, VERY SENSITIVE, AND
22 USUALLY THEY'RE AWAKE AT NIGHT BECAUSE THE PAIN DOESN'T
23 LET THEM REST, AND THEY'RE VERY TIRED IN THE MORNING, AND
24 THEY'RE VERY TIRED DURING THE DAY.

25 AND WHEN YOU'RE THAT TIRED, YOUR BRAIN
26 DOESN'T WORK PROPERLY. IF YOU CAN'T SLEEP FOR A COUPLE OF
27 DAYS, YOU CAN'T THINK STRAIGHT, SO VERY OFTEN, THEY HAVE
28 MEMORY AND CONCENTRATION PROBLEMS. AND THEN IF THAT GOES

1 ON FOR YEARS OR A COUPLE OF YEARS, A YEAR OR TWO, ALL THE
2 DOCTORS ARE SAYING TO YOU, "THERE'S NOTHING WRONG," THEN
3 WHAT HAPPENS IS THAT 50 PERCENT OF SUCH PATIENTS GET
4 DEPRESSED.

5 AND THEN ONCE THEY GET DEPRESSED, THEY GET
6 THE SYMPTOMS OF DEPRESSION SUPERIMPOSED ON THEIR CHRONIC
7 WIDESPREAD PAIN.

8 SO THAT IS THE PICTURE OF FIBROMYALGIA, AND
9 IT APPEARS TO BE COMMONER IN WOMEN THAN MEN, MUCH
10 COMMONER, I WOULD SAY, AND USUALLY THERE'S NO KNOWN CAUSE.
11 SOMETIMES IT'S CAUSED BY HAVING A BIG INJURY, WHICH HAS
12 CAUSED AGONIZING PAIN KEEPING YOU AWAKE, AND IT SETS OFF
13 FIBROMYALGIA, AND SOMETIMES WE SEE PATIENTS WITH A PROPER
14 RHEUMATOLOGIC DISEASE, SUCH AS RHEUMATOID ARTHRITIS OR
15 SYSTEMIC LUPUS OR SJOGREN'S SYNDROME, AND A CERTAIN
16 PERCENTAGE OF THOSE PATIENTS GET A SECONDARY FIBROMYALGIA.

17 SO YOU'RE TREATING SOMEBODY WITH, FOR
18 EXAMPLE, RHEUMATOID ARTHRITIS, AND YOU THINK YOU'VE GOT IT
19 UNDER GREAT CONTROL, BUT THE PERSON IS STILL DISABLED
20 BECAUSE THEY HAVE THE SECONDARY STAGE OF CHRONIC
21 WIDESPREAD PAIN. BUT PRIMARY FIBROMYALGIA, A PATIENT
22 PRESENTING THIS PICTURE WITH NO KNOWN CAUSE, IS A
23 REMARKABLY DIFFICULT SITUATION TO DIAGNOSE AND TO TREAT.

24 Q WHERE WOULD THE TERM "CHRONIC WIDESPREAD
25 PAIN" ORIGINATE?

26 A THAT DERIVED MAINLY OUT OF THE EUROPEAN
27 LITERATURE AND WAS -- IN THE LAST FIVE OR SIX YEARS, HAS
28 BEEN RAPIDLY ASSIMILATED BY SCIENTIFIC RHEUMATOLOGY

1 BECAUSE IT'S A MUCH BETTER WAY OF DEFINING THE SITUATION,
2 AND ALSO IT POINTS THE DOCTORS TO THE VERY IMPORTANT
3 DIFFERENTIAL DIAGNOSIS I TOLD YOU, FIBROMYALGIA VERSUS
4 PSYCHIATRIC DISEASE OR A MIX OF BOTH.

5 Q SO HAS THAT TERM BEEN INCORPORATED INTO
6 AMERICAN MEDICINE?

7 A OH, VERY MUCH SO. PEOPLE STILL USE THE WORD
8 "FIBROMYALGIA." IF YOU GO TO THE BEST LITERATURE, WHICH
9 COMES OUT OF ANN ARBOR, MICHIGAN, MOST OF THE SCIENCE IN
10 THIS CONDITION IS NOW EMERGING, THE TERM --

11 MR. BLESSEY: I'M SORRY. IF HE'S GOING TO BE
12 TALKING ABOUT MEDICAL TEXTBOOKS, RESEARCH ON DIRECT, IT'S
13 IMPROPER. I OBJECT.

14 THE COURT: WELL, I THINK HE'S PROBABLY BEEN
15 CAUTIONED ABOUT THAT.

16 MR. BLESSEY: I'M NOT SO SURE, YOUR HONOR.

17 THE COURT: YOU HAVE CAUTIONED HIM?

18 MS. MC BROOM: WE HAVE. I WAS -- IT'S A SIMPLE
19 QUESTION, JUST WHETHER IT'S BEEN INCORPORATED INTO
20 AMERICAN MEDICINE, AND HE ANSWERED.

21 THE COURT: WELL, HE CAN ANSWER "YES" OR "NO."

22 BY MS. MC BROOM:

23 Q "YES"?

24 THE WITNESS: THANK YOU, YOUR HONOR.

25 YES.

26 BY MS. MC BROOM:

27 Q THANK YOU. IS THERE AN INCREASED RISK OF
28 CHRONIC WIDESPREAD PAIN IN A CERTAIN DEMOGRAPHIC IN A

1 CERTAIN TYPE OF PATIENT?

2 A YES.

3 Q YOU MENTIONED WOMEN.

4 A YES.

5 Q ARE THERE ANY OTHER PATIENTS THAT YOU'VE
6 SEEN THIS?

7 A IT'S PREDOMINANTLY AN ILLNESS OF YOUNG TO
8 MIDDLE-AGED WOMEN. IT STILL IS SEEN IN MEN. IT'S SEEN IN
9 CHILDREN AS WELL, AND IT'S WELL REPORTED IN THE PEDIATRIC
10 LITERATURE.

11 MR. BLESSEY: YOUR HONOR, AGAIN, I WOULD OBJECT AND
12 MOVE TO STRIKE HIS LAST STATEMENT.

13 THE COURT: WE CAN'T REFER TO OTHER MATERIALS.

14 THE WITNESS: I SEE.

15 THE COURT: IF YOU COULD JUST CONFINE IT TO YOUR
16 OPINIONS, ALL RIGHT?

17 THE WITNESS: THANK YOU.

18 BY MS. MC BROOM:

19 Q HOW DO YOU DETERMINE WHETHER CHRONIC
20 WIDESPREAD PAIN IS CAUSED BY FIBROMYALGIA, PSYCHIATRIC
21 ILLNESS, OR A COMBINATION OF BOTH?

22 A WELL, THAT CAN BE VERY CHALLENGING BECAUSE
23 OF WHAT I TOLD THE JURY EARLIER, THAT IF YOU'RE IN PAIN
24 FOR YEARS, YOU GET VERY DEPRESSED. BUT VERY OFTEN A
25 RHEUMATOLOGIST CAN UNCOVER A STRONG, STRONG STORY OF
26 PREEXISTING PSYCHIATRIC DISEASE, AND THEN ONE CAN BE VERY
27 SUSPICIOUS THAT WHAT YOU'RE LOOKING AT IS A CHRONIC
28 WIDESPREAD PAIN DERIVED OFF OF DEPRESSION OR ANXIETY OR

1 SOME OTHER MENTAL ILLNESS.

2 SOMETIMES THE STORY IS THAT IT COMES ON OUT
3 OF THE BLUE FOR NO REASON, AND THEN YOU'RE VERY MUCH
4 ATTUNED TO THIS BEING A PRIMARY CASE OF FIBROMYALGIA, AND
5 SOMETIMES IT'S A VERY DIFFICULT DIAGNOSTIC CHALLENGE.

6 Q WHEN YOU'RE DEALING WITH A PATIENT WHO
7 HAS -- IS COMPLAINING OF CHRONIC WIDESPREAD PAIN, IS IT
8 IMPORTANT TO INQUIRE AS TO WHETHER OR NOT THEY HAVE
9 PSYCHIATRIC CARE?

10 A WELL, I THINK -- THE ANSWER IS "YES." THAT
11 THAT GOES FOR ANY PATIENT YOU SEE WITH ANY ILLNESS.

12 Q ARE YOU FAMILIAR WITH A DIAGNOSTIC TOOL FOR
13 FIBROMYALGIA REFERRED TO AS THE 18-POINT TRIGGER TEST?

14 A I AM FAMILIAR WITH THE TERM, YES.

15 Q AND CAN YOU DESCRIBE WHAT THAT IS?

16 A YES, I CAN. WHEN THE AMERICAN COLLEGE OF
17 RHEUMATOLOGY WANTED TO OFFER DIAGNOSTIC CRITERIA
18 RECOGNIZING FIBROMYALGIA, RHEUMATOLOGISTS LOVE DIAGNOSTIC
19 CRITERIA, SO THEY ISSUED A SERIES OF FINDINGS, AND THEY
20 SAID THERE ARE ABOUT 11 PLACES IN THE BODY WHERE YOU CAN
21 BE VERY TENDER WITH THIS ILLNESS, AND THEY FEEL TENDER IN
22 EIGHT OF THOSE 11, THAT MATCHES THE DIAGNOSIS.

23 OF COURSE, WHAT HAPPENED WAS WHEN THEY
24 ISSUED THE CRITERIA, THEY SAID, "BUT IF THE PATIENT
25 CARRIES A KNOWN PSYCHIATRIC DISEASE, YOU BETTER BE CAREFUL
26 ABOUT DIAGNOSING FIBROMYALGIA." BUT MOST RHEUMATOLOGISTS
27 DIDN'T NOTICE THAT, BUT IT WAS WRITTEN IN THE GUIDELINES.
28 AND THEN, OF COURSE, THAT LED TO AN UPROAR OF SCORN AND

1 RIDICULE FROM PSYCHIATRISTS AND PAIN MANAGEMENT DOCTORS
2 BECAUSE MANY PSYCHIATRISTS IN THEIR TEACHINGS AND
3 LEARNINGS --

4 MR. BLESSEY: YOUR HONOR, LET ME MOVE TO STRIKE AS
5 NONRESPONSIVE TO THE QUESTION.

6 THE COURT: WHAT WAS THE QUESTION?

7 MS. MC BROOM: I ASKED IF HE WAS FAMILIAR WITH
8 THE -- OR TO EXPLAIN WHAT THE 18-POINT TRIGGER TEST IS.

9 THE COURT: IS THERE SUCH A THING?

10 THE WITNESS: NOT ANYMORE. THERE WAS, YOUR HONOR.

11 THE COURT: OKAY. THANK YOU.

12 THE WITNESS: SO MAYBE I SHOULD SAY THAT THE NEWLY
13 PROPOSED CRITERIA FOR FIBROMYALGIA ISSUED BY THE AMERICAN
14 COLLEGE OF RHEUMATOLOGY SELECT COMMITTEE --

15 MR. BLESSEY: AGAIN, YOUR HONOR, HE'S REFERRING TO
16 A MEDICAL PUBLICATION.

17 THE COURT: OVERRULED ON THAT. GO AHEAD.

18 THE WITNESS: THANK YOU, YOUR HONOR.

19 SO THE LATEST CRITERIA ISSUED TWO YEARS AGO
20 BY THE AMERICAN COLLEGE OF RHEUMATOLOGY NO LONGER MENTIONS
21 TENDER AREAS. IT IS NO LONGER CONSIDERED AN IMPORTANT
22 DIAGNOSTIC TOOL.

23 BY MS. MC BROOM:

24 Q THANK YOU. HOW DO YOU TREAT PATIENTS WITH
25 CHRONIC WIDESPREAD PAIN CAUSED BY FIBROMYALGIA?

26 MR. BLESSEY: YOUR HONOR, IF -- HIS PRACTICE AND
27 HOW HE TREATS PATIENTS IS NOT RELEVANT. THE STANDARD OF
28 CARE IS. SO I WOULD OBJECT TO THAT QUESTION.

1 THE COURT: SUSTAINED.

2 BY MS. MC BROOM:

3 Q LET ME ASK IT THIS WAY.

4 A YES.

5 Q IS IT WITHIN THE STANDARD OF CARE FOR A
6 RHEUMATOLOGIST TO TREAT CHRONIC WIDESPREAD PAIN USING
7 OPIATES?

8 A IN MOST INSTANCES, IT IS NOT.

9 Q CAN YOU EXPLAIN WHY?

10 A THERE HAVE BEEN STUDIES THAT SHOW IN
11 PATIENTS WITH FIBROMYALGIA --

12 MR. BLESSEY: YOUR HONOR, AGAIN, HE'S REFERRING TO
13 STUDIES AND RESEARCH.

14 MS. MC BROOM: YOUR HONOR, HE'S NOT --

15 THE COURT: OVERRULED.

16 JUST TELL US WHAT YOUR OPINION IS, SIR, NOT
17 WHAT OTHERS HAVE SUGGESTED --

18 THE WITNESS: ALL RIGHT.

19 THE COURT: -- OR SAID, OKAY?

20 THE WITNESS: SO THE QUESTION WAS, COUNSELOR,
21 PLEASE?

22 BY MS. MC BROOM:

23 Q SURE. I'M ASKING YOU IF IT IS WITHIN THE
24 STANDARD OF CARE FOR A RHEUMATOLOGIST TO TREAT CHRONIC
25 WIDESPREAD PAIN WITH OPIATES.

26 A SOMETIMES IN SMALL AMOUNTS IN OCCASIONAL
27 PATIENTS.

28 Q SO FOR WHAT PATIENTS SHOULD A

1 RHEUMATOLOGIST -- IS IT WITHIN THE STANDARD OF CARE FOR A
2 RHEUMATOLOGIST TO TREAT WITH OPIATES? ANOTHER WAY TO SAY
3 IT IS: IN WHAT CASES WOULD IT BE APPROPRIATE TO TREAT
4 WITH OPIATES?

5 A IT'S NEVER ACTUALLY APPROPRIATE BECAUSE
6 PEOPLE WITH FIBROMYALGIA LACK A RECEPTOR IN THE BRAIN THAT
7 RECOGNIZES OPIOIDS. THEY LACK THE MU RECEPTOR, AND THAT'S
8 BEEN WELL DISPLAYED SCIENTIFICALLY, BUT THERE ARE QUITE A
9 FEW PAIN MANAGEMENT DOCTORS THAT STILL SOMETIMES USE
10 SPARINGLY LOW DOSES OF OPIOIDS IN PATIENTS WITH
11 FIBROMYALGIA.

12 Q I'M GOING TO ASK YOU, WHAT DOCUMENTS AND
13 DATA AND OTHER INFORMATION DID YOU RELY ON IN FORMING YOUR
14 OPINION IN THIS CASE? I REALIZE YOU MAY NOT HAVE AN
15 INVENTORY IN YOUR MIND, BUT CAN YOU DESCRIBE FOR US WHAT
16 YOU REVIEWED?

17 A WELL, MY REVIEW IS CONTINUOUS AND ONGOING.
18 I READ THE RHEUMATOLOGY LITERATURE, AND I INCORPORATE THE
19 INFORMATION FROM THAT LITERATURE WHEN I MAKE MY DIAGNOSES
20 AND RECOMMENDATIONS. SO IT'S ONGOING. I DIDN'T MAKE A
21 SPECIFIC NEW LITERATURE SEARCH, COUNSELOR.

22 Q AND AS FAR AS DOCUMENTS THAT WERE PROVIDED
23 TO YOU FOR YOUR REVIEW, CAN YOU RECALL WHAT YOU REVIEWED
24 TO REACH YOUR OPINION IN THIS CASE?

25 A I CAN'T RECALL OFF THE TOP OF MY HEAD. I
26 HAVE A FILE HERE.

27 Q IF IT REFRESHES YOUR RECOLLECTION --

28 A EXCUSE ME A MINUTE. I'LL TELL YOU WHAT I'VE

1 REVIEWED.

2 Q THANK YOU.

3 A I'VE REVIEWED RECORDS FROM DR. B. SPIEGEL, I
4 THINK HE'S A PAIN MANAGEMENT PHYSICIAN; DR. GIOMBETTI, WHO
5 IS A PAIN NEUROLOGIST; NEUROLOGY & REHAB MEDICAL GROUP,
6 PER DR. CARL ORFUSS, WHO IS A NEUROLOGIST; DR. PAUL BOHN,
7 WHO IS A PSYCHIATRIST; NOTES OF CEDARS-SINAI MEDICAL
8 CENTER EMERGENCY ROOM, PER DR. LUTSKY; RECORDS FROM
9 DR. CASSILETH, WHO IS A PLASTIC SURGEON; SOME NOTES FROM
10 THE LOS ANGELES POLICE DEPARTMENT; SOME PHARMACY RECORDS
11 FROM C.V.S./PHARMACY; DR. BOHN'S RECORDS AGAIN FROM
12 WESTSIDE INTERNAL MEDICINE, INCLUDING RECORDS FROM
13 DR. REISS, WHO IS A GYNECOLOGIST; AND DR. BISCOW, INTERNAL
14 MEDICINE, AND DR. WARING, INTERNAL MEDICINE; DOCUMENTS OUT
15 OF SAINT JOHN'S HEALTHCARE CENTER; DR. FEINSTEIN, WHO IS A
16 PSYCHIATRIST; RITE AID PHARMACY RECORD; WESTSIDE NEUROLOGY
17 MEDICAL GROUP, PER DR. GIOMBETTI; ANTHEM BLUE CROSS REPORT
18 ON M.R.I. OF THE BRAIN OF 2/2010; A DR. TAMKIN, ALLERGIST;
19 DR. LATIMER, PSYCHIATRIST; WARREN HOSPITAL EMERGENCY ROOM;
20 A DR. PASTENA AND DR. SHOWNGOLD, UROLOGY; DR. CAMMARATA,
21 PSYCHOLOGIST; DR. DUBIN, A GYNECOLOGIST, DR. SHAINSKY,
22 RHEUMATOLOGIST; MOUNTAINSIDE HOSPITAL; SAINT BARNABAS
23 MEDICAL CENTER; VARIOUS COURT DOCUMENTS. THE DEPOSITION
24 OF DR. -- DR. DE ROGATIS.

25 Q DE ROGATIS?

26 A DE ROGATIS, THANK YOU, VOLUMES I, II, AND
27 III; DEPOSITION OF DR. BOHN; DEPOSITION OF DR. PETER
28 DE ROGATIS; DECLARATION FROM DR. ALAN WEINBERGER;

1 DEPOSITION OF DR. SHAINSKY, VOLUME I; ANOTHER DECLARATION
2 FROM ALAN WEINBERGER; DEPOSITION OF DR. SHAINSKY,
3 VOLUME II; VOLUME -- DEPOSITION OF DR. SHAINSKY,
4 VOLUME III; DEPOSITION OF DR. WEINBERGER; A DEPOSITION
5 FROM DR. AUDELL, PAIN MANAGEMENT.

6 THAT WAS THE SUM TOTAL OF THE RECORDS I'VE
7 REVIEWED ON THIS CASE.

8 Q THANK YOU. IS IT YOUR BELIEF, BASED ON THE
9 RECORDS YOU REVIEWED, BASED ON YOUR BACKGROUND, TRAINING,
10 AND EXPERIENCE, THAT YOU HAVE A BASIS UPON WHICH TO GIVE
11 OPINIONS REGARDING DR. SHAINSKY'S DIAGNOSIS AND TREATMENT
12 OF TARA DE ROGATIS?

13 A YES.

14 Q DOCTOR, ARE YOU FAMILIAR WITH THE STANDARD
15 OF CARE FOR A RHEUMATOLOGIST LIKE DR. SHAINSKY TREATING A
16 PATIENT WHO HAS THE CLINICAL PRESENTATION OF TARA
17 DE ROGATIS, IN TERMS OF COMPLAINING OF PAIN AND OTHER
18 RELATED SYMPTOMS?

19 A YES.

20 Q IN DETERMINING WHETHER OR NOT DR. SHAINSKY
21 FAILED TO MEET THE APPLICABLE STANDARD OF CARE FOR A
22 REASONABLE RHEUMATOLOGIST, DID YOU CONSIDER THE MINIMUM
23 STANDARD OF CARE THAT WOULD APPLY TO HER AT THE TIME?

24 A I DON'T UNDERSTAND THAT WORD, "MINIMUM,"
25 COUNSELOR.

26 Q IT WOULD MEAN, DID DR. -- DID YOU CONSIDER
27 THE VERY LEAST THAT WOULD BE REQUIRED OF HER WITHIN THE
28 STANDARD OF CARE?

1 A WELL, YES, I THINK THE STANDARD OF CARE IS
2 THAT.

3 Q THANK YOU. BASED ON YOUR REVIEW OF THE
4 RELEVANT RECORDS, INCLUDING DR. SHAINSKY'S TESTIMONY AND
5 MEDICAL CHART OF TARA DE ROGATIS AND BASED UPON YOUR
6 TRAINING AND EXPERIENCE, DO YOU HAVE AN OPINION REGARDING
7 WHETHER DR. SHAINSKY'S MEDICAL CARE OF TARA WAS WITHIN THE
8 STANDARD OF CARE?

9 A I FELT IT WAS NOT.

10 Q OKAY. AND EXPLAIN TO US WHY.

11 A WHEN DR. SHAINSKY FIRST SAW THIS PATIENT,
12 THERE WAS AVAILABLE AND, I BELIEVE, I REVIEWED A REPORT
13 FROM THE CEDARS-SINAI MEDICAL CENTER EMERGENCY ROOM WHERE
14 THE PATIENT HAD PREVIOUSLY PRESENTED WITH CLEAR EVIDENCE
15 OF A PROFOUND PSYCHIATRIC AND MAYBE EVEN PSYCHOTIC
16 ILLNESS. SHE REPORTED HAVING BEEN IN PAIN DOWN ONE ENTIRE
17 SIDE OF HER BODY FOR ONE YEAR AND HAVING HALLUCINATIONS,
18 AND THEN THEY GAVE HER IN THE EMERGENCY ROOM SOME
19 SEDATIVES AND PSYCHIATRIC DRUGS, AND SHE FELL ASLEEP AND
20 WOKE UP PAIN-FREE.

21 WELL, THAT RECORD MEANS THAT YOU ARE DEALING
22 WITH A POWERFUL AND POTENT PSYCHIATRIC DISEASE, AND,
23 THEREFORE, YOU KNOW THAT YOU CANNOT DIAGNOSE CHRONIC
24 WIDESPREAD PAIN DUE TO FIBROMYALGIA WITH THAT STORY.

25 AND SO DR. SHAINSKY WROTE THE RIGHT THING.
26 SHE SAID, "NO. YOU'VE GOT TO BE CAREFUL HERE. YOU'VE GOT
27 TO HAVE THIS PERSON EXERCISE, AND YOU'VE GOT TO TREAT HER
28 PERHAPS WITH AN ANTIDEPRESSANT MEDICATION, AND YOU'VE GOT

1 TO BE THERE FOR -- VERY CAREFUL THE WAY YOU HANDLE THE
2 PATIENT."

3 BUT THEN, TO MY SURPRISE, SHE DID THE
4 OPPOSITE, AND SHE SAID THAT THREE TIMES, "CAUTION,
5 EXERCISE, PSYCHIATRIC CARE, MAYBE AN ANTIDEPRESSANT
6 MEDICINE." BUT EACH TIME SHE SAID IT, SHE THEN PRESCRIBED
7 RATHER LARGE AMOUNTS OF OPIOID NARCOTICS.

8 SO THERE WAS A MARKED DISCORDANCE BETWEEN
9 WHAT SHE WROTE IN THE CHART, WHICH WAS REPEATED WITH EACH
10 VISIT WORD FOR WORD LIKE IT WAS ALMOST REMOTE, AND THEN
11 WHAT SHE ACTUALLY DID, WHICH WAS TO GIVE THIS PERSON WITH
12 A TERRIBLE HISTORY OF PSYCHIATRIC DISEASE, POTENT
13 PSYCHOTIC AND POTENT OPIOIDS; AND NOR DO I SEE IN HER
14 RECORD THAT SHE HAD MADE PROMPT CONTACT WITH THE
15 PSYCHIATRISTS INVOLVED IN THIS PATIENT'S PRIOR CARE EVEN
16 BEFORE GIVING HER ANTIDEPRESSANT DRUG SINCE THE PERSON WAS
17 TAKING PSYCHIATRIC MEDICATIONS ANYWAY.

18 SO I FELT THAT SHE WROTE THE RIGHT THING AND
19 DID EXACTLY THE OPPOSITE.

20 Q DOES THE STANDARD OF CARE REQUIRE A
21 RHEUMATOLOGIST TO INVESTIGATE WHETHER A PATIENT IS ON ANY
22 PSYCHIATRIC MEDICATION BEFORE PRESCRIBING A MEDICATION
23 LIKE CYMBALTA?

24 A THE STANDARD OF CARE OF ANY INTERNAL
25 MEDICINE BRANCH WOULD SAY THAT. YOU NEVER -- YOU HAVE TO
26 KNOW WHAT PATIENTS ARE TAKING BEFORE YOU PRESCRIBE
27 ANYTHING ELSE.

28 Q DOES THE STANDARD OF CARE REQUIRE

1 DR. SHAINSKY TO, AT THE VERY LEAST, REACH OUT TO DR. PAUL
2 BOHN REGARDING HIS TREATMENT AND DIAGNOSIS OF TARA
3 DE ROGATIS?

4 A YES, AND/OR THAT PSYCHIATRIST WHO SAW THE
5 PATIENT IN THE EMERGENCY ROOM ABOUT THE NOTE I REFERRED
6 TO, YES.

7 Q DOCTOR, IS YOUR OPINION -- IN YOUR OPINION,
8 WAS DR. SHAINSKY'S DIAGNOSIS CONSISTENT WITH THE ACCEPTED
9 STANDARDS OF PRACTICE FOR A RHEUMATOLOGIST?

10 A I DON'T -- NO, I DON'T BELIEVE SO, BECAUSE
11 HOW CAN YOU -- YOU CANNOT DIAGNOSE FIBROMYALGIA IN A
12 PATIENT WHO HAS A YEAR'S HISTORY OF HURTING ALL DOWN ONE
13 SIDE ONLY AND HAS POWERFUL PSYCHIATRIC HISTORY. NO, THAT
14 WOULD NOT BE AN APPROPRIATE DIAGNOSIS.

15 Q IS IT YOUR OPINION THAT THE CEDARS-SINAI
16 RECORD REVEALED TO DR. SHAINSKY THAT SHE WAS DEALING WITH
17 A PATIENT WITH PSYCHIATRIC ILLNESS AS OPPOSED TO
18 FIBROMYALGIA?

19 A YES, IT WOULD. IT WOULD INDICATE THAT YOU
20 CAN'T -- TO DIAGNOSE FIBROMYALGIA, YOU'VE GOT TO HAVE AN
21 HONEST, FRANK, CLEAR, NONEMOTIONAL, NONPSYCHIATRIC
22 HISTORY; OTHERWISE, YOU CANNOT DIAGNOSE THAT CONDITION.
23 SO THE MINUTE YOU SEE THERE IS EVIDENCE OF SEVERE
24 PSYCHIATRIC ILLNESS, YOU KNOW YOU'RE NOT ANYWHERE ABLE TO
25 ENTER THE SCIENTIFIC ARENA OF DIAGNOSING FIBROMYALGIA.

26 Q ARE YOU REFERRING -- SPEAKING OF THE CEDARS
27 E.R. RECORD, ARE YOU REFERRING TO BOTH THE ONE-SIDED PAIN
28 AND THE PSYCHOTIC SYMPTOMS DESCRIBED?

1 A BOTH. BOTH BUT -- YES, BOTH.

2 Q AND IN YOUR ANALYSIS, DID YOU ALSO CONSIDER
3 THAT DR. SHAINSKY HAD REVIEWED A RECORD FROM DR. ORFUSS
4 DESCRIBING THAT HE PERFORMED AN E.E.G. ON THIS PATIENT DUE
5 TO COMPLAINTS OF AUDITORY HALLUCINATIONS AND ALTERED
6 MEMORY?

7 A I SAW THAT NOTE. I CANNOT REMEMBER WHETHER
8 I SAW IT IN DR. SHAINSKY'S RECORD.

9 Q NOW, DO YOU HAVE THE SAME OPINION, YOU KNOW,
10 DESPITE THE FACT THAT THESE SYMPTOMS WERE REPORTED ABOUT A
11 YEAR PRIOR TO TARA DE ROGATIS' VISIT WITH DR. SHAINSKY?

12 A YES.

13 Q OKAY. AND WHY IS THAT?

14 A SORRY. WHAT IS THE QUESTION, COUNSELOR?

15 Q MY QUESTION IS: DO YOU HAVE THE SAME
16 OPINION THAT DR. SHAINSKY VIOLATED THE STANDARD OF CARE IN
17 HER DIAGNOSIS OF TARA DE ROGATIS DESPITE THE FACT THAT THE
18 COMPLAINTS REPORTED IN THE CEDARS E.R. RECORD ARE
19 APPROXIMATELY TEN MONTHS OLD?

20 A YES, VERY MUCH SO, BECAUSE IN THAT RECORD IT
21 SAYS THE PERSON'S BEEN HURTING DOWN THE WHOLE SIDE OF HER
22 BODY FOR ONE YEAR AND SHE WAS PSYCHOTIC AND TAKING
23 POWERFUL MEDICINES, SO YOU CAN NEVER ESCAPE THAT
24 PRESENTATION.

25 Q IS THE FACT THAT THE CEDARS E.R. RECORD
26 MENTIONS A HISTORY OF METHAMPHETAMINE ABUSE RELEVANT TO
27 YOUR ANALYSIS?

28 A IT WASN'T PARTICULARLY RELEVANT TO MY

1 ANALYSIS. IF I WAS A TREATING PHYSICIAN, I WOULD BE
2 REALLY CAUTIOUS ABOUT MANAGING THE PATIENT WITH A HISTORY
3 OF DRUG ABUSE.

4 MR. BLESSEY: YOUR HONOR, AGAIN, MOVE TO STRIKE ANY
5 COMMENTS ABOUT WHAT HE WOULD DO. I THINK HE'S HERE TO
6 TESTIFY ABOUT THE STANDARD OF CARE.

7 MS. MC BROOM: WE'LL MOVE ON, YOUR HONOR.

8 THE COURT: RIGHT.

9 MS. MC BROOM: THANK YOU.

10 BY MS. MC BROOM

11 Q WHAT ABOUT THE FACT THAT -- YOU'RE AWARE
12 FROM THE REVIEW OF YOUR RECORDS THAT DR. SHAINSKY
13 PERFORMED A TRIGGER POINT EVALUATION ON TARA DE ROGATIS
14 AND USED THAT IN PART TO DIAGNOSE HER WITH FIBROMYALGIA.
15 WAS THAT EVALUATION BELOW THE STANDARD OF
16 CARE, IN YOUR OPINION?

17 A IT'S BELOW THE STANDARD OF CARE IF YOU'RE
18 DEALING WITH A PSYCHIATRICALY SICK PATIENT WHERE SOFT
19 TISSUE TENDERNESS DOES NO MORE THAN REFLECT THE LEVEL OF
20 PSYCHOLOGIC DISTRESS ON THE DAY YOU'RE FEELING HER
21 TISSUES.

22 Q YOU'RE AWARE FROM THE RECORDS THAT AT THE
23 CONCLUSION OF THIS VISIT, DR. SHAINSKY PROVIDED TARA
24 DE ROGATIS WITH A PRESCRIPTION FOR 60 NORCO.

25 DO YOU BELIEVE THAT PRESCRIPTION WAS BELOW
26 THE STANDARD OF CARE?

27 A YES, I DO.

28 Q AND PLEASE EXPLAIN WHY.

1 A BECAUSE BY THEN SHE KNOWS OR SHOULD KNOW
2 SOON THEREAFTER THAT SHE'S DEALING WITH A PSYCHIATRICALY
3 SICK PERSON, THAT THIS IS NOT TYPICAL FIBROMYALGIA, AND SO
4 I COULD UNDERSTAND A FEW NORCO OUT OF -- TO HELP THE
5 PATIENT THROUGH A FEW DAYS WHILE SHE MAKES THE RELEVANT
6 INQUIRIES, BUT TO GIVE SOMEONE THAT LARGE AMOUNT OF OPIOID
7 IS, I BELIEVE, A DANGEROUS PRECEDENT.

8 Q SO WHAT DID THE STANDARD OF CARE REQUIRE
9 DR. SHAINSKY TO DO IN HER TREATMENT OF TARA? AND LET'S
10 JUST FOCUS ON THE FIRST TWO VISITS.

11 A EXACTLY AS SHE WROTE IN HER NOTE, TREAT HER
12 CAREFULLY, GENTLY, GET HER PSYCHIATRIC HELP, TELL HER TO
13 EXERCISE, GIVE HER SOMETHING FOR DEPRESSION. WHAT SHE
14 WROTE WAS THE RIGHT THING TO DO.

15 Q BUT SHE DIDN'T DO THAT?

16 A NO.

17 Q SO HOW CAN A RHEUMATOLOGIST MANAGE A PATIENT
18 WITH CHRONIC PAIN WHO IS -- THAT'S ATTRIBUTED TO A
19 PSYCHIATRIC ILLNESS IF NOT WITH THE USE OF OPIATES?

20 A YOU -- YOU'VE GOT TO SIT THERE AND DO
21 NOTHING AND BE KIND AND GENTLE AND LISTEN AND BELIEVE AND
22 HELP THE PERSON TO COPE.

23 THE COURT: I'M SORRY. HELP THE WHAT?

24 THE WITNESS: HELP THE PATIENT TO COPE, YOUR HONOR.

25 THE COURT: TO HELP?

26 THE WITNESS: YES. THAT'S THE AMERICAN COLLEGE OF
27 PHYSICIANS' RECOMMENDATION DEALING WITH ANYONE WITH
28 PSYCHOPHYSIOLOGIC SYMPTOMS.

1 BY MS. MC BROOM:

2 Q SO WOULD THAT INCLUDE COGNITIVE THERAPY?

3 A YES, IT INCLUDES --

4 Q CAN YOU EXPLAIN THAT FOR THE JURY?

5 A COGNITIVE BEHAVIORAL THERAPY IS GIVING THE
6 PATIENT PSYCHOLOGIC HELP IN TEACHING THEM HOW TO COPE AND
7 GIVING THEM CREDIT FOR SO DOING. SO IN PEOPLE WHO HAVE
8 UNPLEASANT SYMPTOMS AND THEY HAVE TO LIVE WITH THEM, YOU
9 HELP THEM DO THAT THROUGH COGNITIVE BEHAVIORAL THERAPY.

10 Q SO YOU'RE AWARE FROM A REVIEW OF
11 DR. SHAINSKY'S TESTIMONY IN THE PHARMACY RECORDS THAT
12 BETWEEN THE FIRST AND SECOND VISIT, DR. SHAINSKY
13 PRESCRIBED ANOTHER 56 NORCO PILLS?

14 A YES.

15 Q I TAKE IT BASED ON YOUR PREVIOUS TESTIMONY
16 TODAY THAT YOU BELIEVE THAT PRESCRIPTION WAS BELOW THE
17 STANDARD OF CARE?

18 A UNDER THESE CIRCUMSTANCES, YES.

19 Q AND FOR THE SAME REASONS YOU JUST PREVIOUSLY
20 SAID?

21 A YES.

22 Q AND I TAKE IT THAT THE THIRD PRESCRIPTION
23 GIVEN ON MARCH 1ST, 2010, FOR 100 NORCO WAS ALSO BELOW THE
24 STANDARD OF CARE IN YOUR OPINION?

25 A YES.

26 Q WHAT ABOUT THE FACT THAT ON THAT SECOND
27 VISIT, DR. SHAINSKY INCREASED THE CYMBALTA PRESCRIPTION;
28 WAS IT BELOW THE STANDARD OF CARE FOR HER TO INCREASE THAT

1 MEDICATION WITHOUT CONSULTING THE PSYCHIATRIST?

2 A I BELIEVE IT WAS, BUT I'M NOT QUALIFIED TO
3 TALK ABOUT THE STANDARD OF CARE FOR PSYCHIATRIC MEDICINE.

4 Q I UNDERSTAND.

5 MR. BLESSEY: I MOVE TO STRIKE HIS FIRST PART OF
6 THAT ANSWER, OR ACTUALLY, HE ANSWERED IT IN ITS ENTIRETY,
7 YOUR HONOR.

8 THE COURT: SUSTAINED. STRICKEN.

9 MR. BLESSEY: THANK YOU.

10 BY MS. MC BROOM:

11 Q OVERALL WAS DR. SHAINSKY'S TREATMENT OF TARA
12 ON THAT SECOND VISIT BELOW THE STANDARD OF CARE?

13 A YES.

14 Q BECAUSE BY THAT POINT DR. SHAINSKY HAD
15 REVIEWED THE CEDARS-SINAI E.R. RECORD?

16 A I BELIEVE SO.

17 Q AND YOU BELIEVE THE FACT THAT SHE FAILED TO
18 REACH OUT TO A TREATING PSYCHIATRIST OR THE PSYCHIATRISTS
19 WHO WROTE THE CEDARS REPORT WAS BELOW THE STANDARD OF
20 CARE?

21 MR. BLESSEY: YOUR HONOR, THIS IS LEADING NOW, THIS
22 WITNESS.

23 THE COURT: WELL, THIS AN EXPERT. OVERRULED.

24 THE WITNESS: YES.

25 BY MS. MC BROOM:

26 Q I WANT TO FOCUS -- FOCUS YOU ON THAT THIRD
27 VISIT, THIRD AND LAST VISIT ON MARCH 22ND, 2010.

28 A YES.

1 Q YOU'RE AWARE FROM THE RECORD THAT TARA
2 DE ROGATIS REPORTED TO DR. SHAINSKY THAT SHE WAS IN
3 TREMENDOUS PAIN; YOU'RE AWARE FROM A REVIEW OF ALL THE
4 MATERIALS IN THIS CASE THAT THERE WAS A DISCUSSION OF
5 SUICIDE BETWEEN TARA DE ROGATIS AND DR. SHAINSKY, CORRECT?

6 A YES, I WAS AWARE, IN FACT.

7 THE REPORTER: I'M SORRY?

8 THE WITNESS: I WAS AWARE, IN FACT.

9 THE REPORTER: THANK YOU.

10 BY MS. MC BROOM

11 Q AND YOU'RE ALSO AWARE THAT DR. SHAINSKY AT
12 SOME POINT LEFT A MESSAGE FOR DR. BOHN CONCERNING HER
13 CONSULTATION WITH TARA DE ROGATIS?

14 A YES.

15 Q AND, FINALLY, YOU'RE AWARE THAT AT THE END
16 OF THAT APPOINTMENT, DR. SHAINSKY PROVIDED TARA WITH A
17 PRESCRIPTION FOR 100 PERCOCET PILLS?

18 A YES.

19 Q OKAY. ADDITIONALLY, THE RECORDS FROM THAT
20 FINAL VISIT INDICATE THAT DR. SHAINSKY BECAME AWARE THAT
21 TARA WAS ON SOME PSYCHIATRIC MEDICATIONS THAT SHE HADN'T
22 PREVIOUSLY BEEN AWARE OF?

23 A YES.

24 Q SO IS IT YOUR OPINION THAT ON THIS THIRD
25 VISIT, DR. SHAINSKY VIOLATED THE STANDARD OF CARE IN HER
26 TREATMENT OF TARA?

27 A YES.

28 Q AND CAN YOU EXPLAIN WHY?

1 A SHE KNOWS THE PATIENT HAS POTENT PSYCHIATRIC
2 DISEASE. SHE KNOWS SHE'S TAKING OTHER MEDICATIONS. SHE
3 SHOULD KNOW THAT THIS PATIENT DOES NOT HAVE REAL
4 FIBROMYALGIA. SHE SHOULD KNOW THAT, THEREFORE,
5 PRESCRIBING OPIOIDS IN THIS SETTING CARRIES WITH IT
6 NUMEROUS RISKS.

7 MS. MC BROOM: ONE MOMENT, YOUR HONOR.

8 NOTHING FURTHER, YOUR HONOR.

9 THE COURT: CROSS-EXAMINATION?

10 MR. BLESSEY: THANK YOU, YOUR HONOR.

11
12 CROSS-EXAMINATION

13 BY MR. BLESSEY:

14 Q GOOD MORNING, DR. BLUESTONE.

15 A GOOD MORNING, COUNSELOR.

16 Q WE'VE NEVER MET BEFORE, HAVE WE?

17 A NOT THAT I RECALL.

18 MR. NEWHOUSE: WOULD YOU LIKE SOME WATER?

19 THE WITNESS: YES, PLEASE. THANK YOU.

20 MR. NEWHOUSE: NO CHARGE. YOU'RE GOOD TO GO,

21 MR. BLESSEY.

22 MR. BLESSEY: THANK YOU.

23 BY MR. BLESSEY

24 Q SO, DR. BLUESTONE, LET'S TALK A LITTLE BIT
25 ABOUT YOUR QUALIFICATIONS, OKAY?

26 A YES.

27 Q I THINK YOU TOLD US UNDER DIRECT EXAMINATION
28 THAT YOU HAVE NOT HAD PRIVILEGES TO ADMIT A PATIENT WITH

1 FIBROMYALGIA OR ANY OTHER DIAGNOSIS TO A HOSPITAL SUCH AS
2 CEDARS-SINAI SINCE 1997, CORRECT?

3 A NO. I SAID ABOUT TEN YEARS, THEREABOUTS,
4 TEN, 12 YEARS, I THINK I BECAME EMERITUS ABOUT THEN. I
5 DON'T REMEMBER THE EXACT DATE.

6 Q OKAY. SO TEN TO 12 YEARS, THAT WOULD PUT US
7 BACK AROUND THE EARLY 2000'S, RIGHT?

8 A YES.

9 Q AND IN TERMS --

10 MS. MC BROOM: YOUR HONOR, I WOULD JUST OBJECT THAT
11 THIS MISSTATES THE TESTIMONY. I BELIEVE HE SAID, "TEN
12 YEARS."

13 MR. BLESSEY: HE ACTUALLY SAID, "TEN TO 12 YEARS,"
14 AND I PUT IT --

15 THE WITNESS: I SAID, "ABOUT TEN YEARS."

16 BY MR. BLESSEY:

17 Q COULD BE MORE, RIGHT?

18 A I DON'T THINK IT'S MUCH MORE THAN THAT.

19 Q WELL, YOU KNOW, SIR, ON YOUR -- YOU HAVE A
20 CURRICULUM VITAE, DO YOU NOT?

21 A YES.

22 Q THAT'S A RESUME. THAT'S ANOTHER WORD FOR
23 RESUME, RIGHT?

24 A YES.

25 Q ALL RIGHT. AND ON YOUR RESUME, IT INDICATES
26 THAT YOU HAVE NOT HAD HOSPITAL PRIVILEGES SINCE 1997; IS
27 THAT CORRECT?

28 A THAT WOULD NOT BE ON MY RESUME.

1 Q I THINK IT IS, SIR.

2 MR. BLESSEY: CAN I APPROACH, YOUR HONOR?

3 THE COURT: YOU MAY.

4 MS. MC BROOM: CAN I JUST SEE?

5 MR. BLESSEY: IT'S HIS C.V. I'D THINK YOU'D HAVE
6 IT. DO YOU WANT ME TO SHOW IT TO YOU?

7 MS. MC BROOM: I'D LIKE YOU TO.

8 MR. BLESSEY: OKAY.

9 MS. MC BROOM: THANK YOU.

10 BY MR. BLESSEY:

11 Q FIRST, LET'S ESTABLISH, IS THIS YOUR RESUME,
12 DOCTOR?

13 A CAN I LOOK, PLEASE?

14 Q SURE.

15 A YES, THIS IS.

16 Q OKAY. AND CAN WE GO TO THE SECOND PAGE?

17 A YES.

18 Q AND IT SAYS -- UP ON TOP HERE, WHAT DOES
19 THAT FIRST LINE SAY?

20 A "ATTENDING STAFF."

21 Q AND WHAT ARE THE YEARS? THIS IS AT
22 CEDARS-SINAI MEDICAL CENTER?

23 A 1979 TO 1997.

24 Q THANK YOU. MAY I HAVE IT BACK, PLEASE? CAN
25 I HAVE IT BACK?

26 A YES, SIR.

27 Q THANK YOU.

28 MS. MC BROOM: YOUR HONOR, I'D JUST ASK TO HAVE

1 THAT DOCUMENT MARKED.

2 THE COURT: PARDON?

3 MS. MC BROOM: I WOULD ASK TO HAVE THAT DOCUMENT
4 MARKED.

5 THE COURT: OH, IT HASN'T BEEN MARKED?

6 MR. BLESSEY: NO.

7 THE COURT: WE'LL MARK IT NEXT IN ORDER.

8 MS. MC BROOM: THANK YOU.

9 THE CLERK: 136.

10

11 (MARKED FOR IDENTIFICATION, JOINT
12 EXHIBIT 136, CURRICULUM VITAE OF
13 RODNEY BLUESTONE, M.D.)

14

15 BY MR. BLESSEY:

16 Q IN TERMS OF YOUR TEACHING --

17 A YES.

18 Q -- YOU TOLD THE JURY YOU'VE DEDICATED YOUR
19 ENTIRE LIFE TO TEACHING, ESSENTIALLY, CORRECT?

20 A YES.

21 Q YOU HAVE NOT DONE ANY DIDACTIC TEACHING IN
22 THE LAST TEN OR 12 YEARS EITHER, TRUE?

23 A NO. I'VE TAUGHT PATIENT SUPPORT GROUPS WITH
24 DIDACTIC LECTURES.

25 Q LET'S MAKE SURE THAT WE'RE CLEAR AND THE
26 JURY IS CLEAR.

27 YOU'VE NOT DONE ANY TEACHING TO PHYSICIANS
28 IN A STRUCTURED SETTING SINCE TEN OR 12 YEARS AGO,

1 CORRECT?

2 A YES, THAT IS CORRECT. I'VE NOT GIVEN ANY
3 LECTURES TO PHYSICIANS IN THAT TIME.

4 Q NOT EVEN ABOUT CHRONIC WIDESPREAD PAIN,
5 CORRECT?

6 A THAT IS CORRECT.

7 Q AND IN TERMS OF PUBLICATIONS, IT'S BEEN
8 QUITE SOME TIME, I THINK YOU TOLD US ALREADY, SINCE YOU'VE
9 PUBLISHED ANY MEDICAL JOURNAL ARTICLES, TRUE?

10 A YES, THAT IS CORRECT.

11 Q NOW, YOUR PRACTICE, I THINK YOU TOLD US 20
12 PERCENT OF YOUR PRACTICE IS WORKERS' COMPENSATION
13 PATIENTS, TRUE?

14 A APPROXIMATELY, YES.

15 Q AND THE MAJORITY OF THE REST OF YOUR
16 PRACTICE IS RHEUMATOLOGICAL DISEASES, CORRECT?

17 A YES.

18 Q AND THEN I THINK YOU SAID THAT YOU SPEND
19 SOME TIME DOING EXPERT WORK, CORRECT?

20 A YES.

21 Q NOW, I THOUGHT I HEARD YOU SAY THAT IN TERMS
22 OF YOUR EXPERT WORK, IT'S ABOUT 50/50, THAT IS, 50 PERCENT
23 OF THE TIME YOU HAVE GIVEN -- YOU'VE BEEN RETAINED BY THE
24 PLAINTIFF AND 50 PERCENT OF THE TIME YOU'VE BEEN RETAINED
25 BY A DEFENDANT, TRUE?

26 A YES.

27 Q YOU'VE GIVEN, HAVE YOU NOT -- YOU'VE GIVEN
28 HUNDREDS AND HUNDREDS OF DEPOSITIONS OVER THE COURSE OF

1 THE YEARS, TRUE?

2 A YES.

3 Q NOW, AT YOUR DEPOSITION, DESPITE THIS 50/50
4 BREAK IN TERMS OF YOUR EXPERT WORK, YOU COULD NOT RECALL
5 THE NAME OF ONE DEFENSE FIRM THAT RETAINED YOU, TRUE?

6 A EXCUSE ME, COUNSELOR?

7 Q IS THAT TRUE, SIR?

8 A I DON'T REMEMBER THE NAMES OF COUNSELORS,
9 BUT YOU'RE MAKING A MISTAKE, SIR.

10 Q SIR --

11 A THE VAST MAJORITY --

12 MS. MC BROOM: YOUR HONOR, CAN HE BE PERMITTED TO
13 ANSWER THE QUESTION?

14 THE COURT: OKAY. GO AHEAD.

15 THE WITNESS: THE VAST MAJORITY OF MY DEPOSITIONS
16 ARE IN WORKERS' COMPENSATION, AS AN AGREED MEDICAL
17 EXAMINER, SO I SEE -- SO THAT I'M BEING QUESTIONED BY THE
18 DEFENSE AND PLAINTIFFS' ATTORNEYS. THAT IS THE VAST
19 MAJORITY OF MY DEPOSITIONS.

20 BY MR. BLESSEY:

21 Q SO YOU'VE HAD LOTS OF MEDICAL-LEGAL
22 EXPERIENCE GIVING DEPOSITIONS AND THE LIKE, TRUE?

23 A YES.

24 Q BUT THAT'S A DIFFERENT ISSUE.

25 THE ISSUE IS, IN TERMS OF MEDICAL
26 MALPRACTICE CASES, YOU WERE UNABLE TO IDENTIFY ONE DEFENSE
27 FIRM THAT HAD RETAINED YOU FOR A MEDICAL MALPRACTICE CASE
28 AT YOUR DEPOSITION, TRUE?

1 A THAT IS TRUE, NOR ONE PLAINTIFF FIRM.

2 Q WELL, ACTUALLY, YOU TOLD US ABOUT TWO
3 PLAINTIFF FIRMS AT YOUR DEPOSITION.

4 DO YOU REMEMBER THAT?

5 A I WAS ASKED WHAT OTHER CASES I WAS LOOKING
6 AT AT THE TIME, SO I REMEMBERED THOSE TWO.

7 Q NOW, YOU REGARD YOURSELF AS AN EXPERT IN
8 CHRONIC WIDESPREAD PAIN; IS THAT FAIR TO SAY?

9 A NO, NO, I'M AN EXPERT IN RHEUMATOLOGY.

10 Q OKAY. AND AS AN EXPERT IN RHEUMATOLOGY,
11 BEFORE THIS CASE, YOU HAD NEVER THROUGHOUT YOUR
12 ILLUSTRIOUS CAREER BEEN RETAINED ON A CASE, A MEDICAL
13 MALPRACTICE CASE, INVOLVING THE SAME OR SIMILAR ISSUES
14 THAT WE'RE DEALING WITH HERE, TRUE?

15 A NO, THAT'S NOT TRUE.

16 Q OKAY. I'D LIKE TO READ FROM DR. BLUESTONE'S
17 DEPOSITION TRANSCRIPT ON PAGE 30, LINE 21 THROUGH PAGE 31,
18 LINE 5.

19 MS. MC BROOM: NO OBJECTION.

20 THE COURT: GO AHEAD.

21 BY MR. BLESSEY:

22 Q "QUESTION: WITH RESPECT TO YOUR -- THE
23 CASES THAT YOU'VE REVIEWED...IN THE MEDICAL
24 MALPRACTICE AREA, DID ANY OF THEM
25 INVOLVE...THE SAME OR SIMILAR TO THE ISSUES
26 WE'LL BE TALKING ABOUT IN A MOMENT, THAT IS,
27 THE TREATMENT OF FIBROMYALGIA, MEDICATIONS,
28 NARCOTICS, THOSE TYPES OF ISSUES?

1 "ANSWER: NOT THAT I RECALL OUTSIDE OF
2 WORKERS' COMPENSATION BECAUSE IN WORKERS'
3 COMPENSATION A LOT OF THE PATIENTS I SEE COME
4 IN THE OFFICE WITH A PAST GIVEN DIAGNOSIS OF
5 FIBROMYALGIA."

6 YOU KNOW THE DIFFERENCE BETWEEN WORKERS'
7 COMPENSATION AND MEDICAL MALPRACTICE, DON'T YOU?

8 A YES.

9 Q AND YOU UNDERSTAND IN WORKERS' COMPENSATION
10 THERE'S NO ISSUE OF FAULT; WHEREAS, IN MEDICAL
11 MALPRACTICE, THERE IS AN ALLEGATION OF FAULT, CORRECT? DO
12 YOU UNDERSTAND THAT DISTINCTION, SIR?

13 A YES.

14 Q SO YOU WOULD AGREE THAT, ALTHOUGH YOU'VE
15 TESTIFIED IN THE SOME WORKERS' COMPENSATION CASES THAT MAY
16 INVOLVE FIBROMYALGIA, BEFORE THIS CASE, YOU WERE NEVER
17 RETAINED AS AN EXPERT IN A MEDICAL MALPRACTICE CASE WITH
18 THE SAME OR SIMILAR ISSUES WE'RE DEALING WITH HERE,
19 CORRECT?

20 A THAT'S CORRECT. ONLY IN A PERSONAL INJURY
21 CASE.

22 Q RIGHT. A WORKERS' COMPENSATION?

23 A NO, NO. PERSONAL INJURY IN TRIAL.

24 Q OKAY. LET'S TALK ABOUT THAT. PERSONAL
25 INJURY DOESN'T INVOLVE ALLEGATIONS OF MEDICAL MALPRACTICE,
26 DOES IT?

27 A NO.

28 Q WHEN YOU WERE RETAINED BY MS. MC BROOM OR

1 MR. NEWHOUSE OR THEIR FIRM, DID YOU TELL THEM THAT THIS
2 WAS THE FIRST TIME THAT YOU'D BEEN RETAINED IN A MEDICAL
3 MALPRACTICE CASE INVOLVING AN ISSUE ABOUT TREATMENT OF
4 FIBROMYALGIA?

5 A NO, I DON'T RECALL TELLING THEM. I DON'T
6 REMEMBER THEM ASKING ME.

7 Q DON'T YOU THINK YOU HAD AN OBLIGATION TO LET
8 THEM KNOW THAT?

9 A NO. I HAVE AN OBLIGATION TO ANSWER ANY
10 QUESTIONS THEY ASK ME, WHICH I DID, HONESTLY AND FULLY.

11 Q NOW, LET'S TALK A LITTLE BIT ABOUT YOUR
12 FEES. WE KIND OF BRUSHED OVER THAT BEFORE.

13 YOUR FEES ARE HANDLED -- OR YOUR FISCAL
14 MATTERS, YOU TOLD THE JURY, ARE DEALT WITH BY SOMEBODY
15 ELSE IN YOUR OFFICE, CORRECT?

16 A YES.

17 Q I'LL JUST SHOW COUNSEL AN EXHIBIT TO YOUR
18 DEPOSITION TRANSCRIPT.

19 MR. BLESSEY: MAY I APPROACH, YOUR HONOR?

20 THE COURT: YOU MAY.

21 BY MR. BLESSEY:

22 Q FIRST, DR. BLUESTONE --

23 A YES.

24 Q -- DO THESE APPEAR TO BE YOUR BILLING
25 RECORDS FOR SOME OF THE WORK THAT YOU'VE DONE IN THIS
26 CASE?

27 A YES.

28 Q OKAY. NOW, LET ME DRAW YOUR ATTENTION --

1 AND THIS -- THIS BILLING RECORDS, BECAUSE THE JURY -- IT'S
2 NOT DISPLAYED BEFORE THE JURY, STARTS WHEN, SIR, WHAT
3 MONTH?

4 A MAY THE 21ST, 2012.

5 Q WERE YOU AWARE THAT THIS CASE WAS FILED IN
6 MARCH OF 2011 BY THE RECORDS THAT YOU REVIEWED?

7 A I HAVE NO RECORD OF THAT.

8 Q DO YOU KNOW WHY IT WAS THAT YOU WERE
9 RETAINED MORE THAN A YEAR AFTER THE CASE WAS FILED?

10 A NO, I DON'T.

11 MS. MC BROOM: OBJECTION, YOUR HONOR. RELEVANCE.

12 THE COURT: OVERRULED.

13 BY MR. BLESSEY:

14 Q DO YOU KNOW, SIR --

15 THE COURT: GO AHEAD.

16 BY MR. BLESSEY:

17 Q SIR, DR. BLUESTONE, DO YOU KNOW WHETHER OR
18 NOT YOU WERE THEIR FIRST CHOICE AS AN EXPERT IN
19 RHEUMATOLOGY IN THIS CASE RETAINED A YEAR AFTER THE
20 LAWSUIT WAS FILED? DO YOU KNOW THAT?

21 A NO, I DON'T.

22 Q OKAY. NOW, IN TERMS OF THESE BILLING
23 RECORDS, THEY COVER A PERIOD FROM MAY TO -- WHAT'S THE
24 LAST DATE WE HAVE HERE?

25 A JULY THE 27TH, 2012.

26 Q IS THERE A LATER DATE HERE IN AUGUST?

27 A OH, I'M SORRY. IT SAYS, "AUGUST THE 27TH,
28 2012."

1 Q SO WE HAVE MAY THROUGH AUGUST, THE END OF
2 AUGUST, ON THIS BILLING STATEMENT, CORRECT?

3 A YES.

4 Q AND WHAT'S THE TOTAL AMOUNT THAT YOU BILLED
5 OVER THOSE SEVERAL MONTHS?

6 A I THINK THAT THIS IS THE TOTAL; IS THAT
7 CORRECT? YES.

8 Q I BELIEVE SO. CAN YOU READ IT TO THE JURY?

9 A \$14,875.

10 Q AND THAT WOULD BE AS OF AUGUST 27TH, 2012?

11 A YEAH.

12 Q NOW, YOU'VE PUT IN A CONSIDERABLE AMOUNT OF
13 TIME SINCE AUGUST OF 2012; IS THAT FAIR TO SAY?

14 A YES, I HAVE.

15 MS. MC BROOM: YOUR HONOR, I'D JUST ASK THAT THAT
16 BE MARKED AS WELL, THAT EXHIBIT, I BELIEVE, THE NEXT --

17 THE COURT: SURE. WE CAN MARK IT NEXT IN ORDER,
18 CLERK.

19 THE CLERK: 137. WHAT IS IT?

20 MR. BLESSEY: IT'S A THREE-PAGE BILLING RECORD OF
21 DR. BLUESTONE FOR HIS WORK IN THIS CASE THROUGH AUGUST OF
22 2012.

23
24 (MARKED FOR IDENTIFICATION, JOINT
25 EXHIBIT 137, BILLING RECORDS OF
26 RODNEY BLUESTONE, M.D., THROUGH
27 AUGUST 2012.)
28

1 BY MR. BLESSEY:

2 Q SO WE HAVE \$14,875 AS OF AUGUST 2012.

3 HOW MUCH TIME -- COULD YOU ESTIMATE FOR THE
4 JURY HOW MANY HOURS HAVE YOU PUT INTO THIS CASE IN THE WAY
5 OF RECORD REVIEW SINCE AUGUST OF 2012?

6 A I CAN'T SAY OFF THE TOP OF MY HEAD. IF YOU
7 GIVE ME A CHANCE TO LOOK AT THIS --

8 Q PLEASE.

9 A -- I MIGHT BE ABLE TO GIVE YOU AN ESTIMATE.

10 Q PLEASE.

11 A WHAT DATE -- WHAT DATE IN AUGUST WAS THAT,
12 PLEASE?

13 Q THAT WAS AUGUST 27TH, 2012.

14 A THANK YOU. I BELIEVE I DID SOME MORE HOURS
15 OF REVIEW. I DON'T KNOW HOW MANY, PLUS I WAS DEPOSED,
16 PLUS I HAD TO PREPARE FOR THE DEPOSITION, PLUS I HAD TO
17 PREPARE FOR THIS TRIAL.

18 Q RIGHT.

19 A SO I DON'T HAVE -- I CAN'T -- I CAN'T
20 ESTIMATE SENSIBLY HOW MANY HOURS THAT WAS. IT WAS A LARGE
21 NUMBER OF HOURS, I'M SURE, BUT I DON'T KNOW HOW MANY.

22 Q LARGE NUMBER MEANING MORE THAN 20?

23 A OH, I DON'T KNOW ABOUT THAT. NO, NO, I
24 CAN'T MAKE THAT ESTIMATION. I KNOW, FOR EXAMPLE, I SPENT
25 1 HOUR THIS WEEKEND REVIEWING THE FILE. I KNOW, FOR
26 EXAMPLE, I WAS DEPOSED, I BELIEVE -- I BELIEVE I WAS
27 DEPOSED FOR 2 HOURS, BUT I CAN'T RECALL THE OTHER HOURS.

28 Q THE LARGE NUMBER OF HOURS THAT YOU'VE PUT IN

1 SINCE AUGUST 27, 2012, WOULD BE BILLED OUT AT \$750 AN
2 HOUR; IS THAT TRUE?

3 A THEY SHOULD BE, YES.

4 Q AND THEN IN TERMS OF -- IN TERMS OF THE
5 TOTAL NUMBER, YOU JUST CAN'T PROVIDE THE JURY WITH AN
6 ESTIMATE?

7 A NO, I DON'T KNOW.

8 Q FAIR ENOUGH. WHAT -- WHEN YOU TAKE TIME
9 AWAY FROM YOUR PRACTICE TO COME TO A TRIAL LIKE THIS, WHAT
10 ARE YOUR FEES, SIR?

11 A I'M TOLD MY FEE IS \$5,000.

12 Q AND IS THAT FOR A HALF DAY? IN OTHER WORDS,
13 IF WE -- AND I THINK WE WILL -- IF WE GET YOU DONE BY
14 NOON, IS THAT \$5,000 FOR A HALF DAY OR FOR A FULL DAY?

15 A I'M NOT CERTAIN ABOUT THAT.

16 Q NOW, LET'S TALK A LITTLE BIT MORE ABOUT THIS
17 CONDITION OF FIBROMYALGIA.

18 A YES.

19 Q YOU HAVE TOLD US THAT THIS CONDITION --
20 FIRST OF ALL, THERE'S NO KNOWN ORGANIC CAUSE FOR THE
21 PROBLEM, CORRECT?

22 A THUS FAR DISCOVERED.

23 Q RIGHT, JUST REVIEWING WITH YOU.

24 AND WHEN YOU SAY, "NO ORGANIC CAUSE," YOU
25 MEAN THERE ARE NO TESTS THAT WILL REVEAL FOR THE PHYSICIAN
26 THAT'S WORKING WITH THE PATIENT AN UNDERLYING CAUSE SUCH
27 AS AN INJURY TO A JOINT OR SOME AUTOIMMUNE DISEASE OR SOME
28 OTHER NEUROLOGICAL DISEASE THAT MIGHT EXPLAIN THE PAIN,

1 SOMETHING ALONG THOSE LINES, TRUE?

2 A THAT IS CORRECT.

3 Q ALL RIGHT. AND YOU MENTIONED THAT THE
4 DESCRIPTION OF PAIN IS WIDESPREAD AND CHRONIC, CORRECT?

5 A YES.

6 Q YOU MENTIONED THAT IT INTERFERES WITH SLEEP,
7 CORRECT?

8 A YES.

9 Q AND THAT THE PATIENTS COMMONLY ARE TIRED?

10 A YES.

11 Q RIGHT? AND YOU TOLD US IN YOUR DEPOSITION,
12 AND I DON'T THINK YOU SAID IT HERE, BUT THIS CONDITION,
13 FIBROMYALGIA, ALSO INTERFERES WITH LIBIDO, CORRECT?

14 A IT CAN DO.

15 Q ALL RIGHT. AND THEN AT SOME POINT IN TIME,
16 THE PATIENT MAY PRESENT WITH DEPRESSION, RIGHT?

17 A YES.

18 Q NOW, AS YOU CAREFULLY REVIEWED THE MEDICAL
19 RECORDS, YOU -- IS IT TRUE, SIR, THAT YOU SAW EACH AND
20 EVERY ONE OF THESE SIGNS OF FIBROMYALGIA IN THE MEDICAL
21 RECORDS THAT YOU WERE PRESENTED WITH, CORRECT?

22 A AT WHAT STAGE IN THE PATIENT'S HISTORY?

23 Q AT ANY STAGE, UP UNTIL THE TIME SHE SAW
24 DR. SHAINSKY.

25 A NO. I SAW THAT SHE'D BEEN HURTING DOWN ONE
26 SIDE OF THE BODY FOR ONE YEAR.

27 Q OKAY. GOOD. LET'S TALK ABOUT THAT. YOU'RE
28 REFERRING TO THE ONE ADMISSION AT CEDARS-SINAI IN AN

1 EMERGENCY ROOM PHYSICIAN'S RECORDING OF WHAT SHE TOLD
2 THEM, CORRECT?

3 A YEAH.

4 Q NOW, SO LET'S TALK ABOUT THAT. AND BEFORE I
5 GO INTO A LITTLE DIFFERENT DIRECTION, ARE YOU TELLING THIS
6 JURY THAT FROM EITHER BEFORE 2009 OR UP TO THE TIME SHE
7 SAW DR. SHAINSKY, THIS PATIENT DID NOT COMPLAIN ABOUT
8 CHRONIC WIDESPREAD PAIN?

9 A NO. WHAT I'M SAYING IS THAT FOR ONE YEAR
10 SHE HAD BEEN COMPLAINING OF PAIN DOWN ONE ENTIRE SIDE OF
11 THE BODY. THAT IS NOT CHRONIC WIDESPREAD PAIN, WHICH IS
12 DEFINED AS PAIN IN BOTH SIDES OF THE BODY, UPPER AND
13 LOWER, GOING ON FOR MORE THAN THREE MONTHS WITH NO KNOWN
14 CAUSE.

15 Q DOES THE TERM "UNILATERAL" MEAN ONE SIDE?

16 A YES.

17 Q AND AT THE EMERGENCY ROOM, IT WAS
18 LEFT-SIDED, CORRECT?

19 A I CAN'T RECALL, BUT I CAN LOOK IT UP.

20 Q THAT'S OKAY. THE POINT IS IT WAS ONLY ON
21 ONE SIDE, AT LEAST --

22 A YEAH.

23 Q -- THAT'S WHAT --

24 A UNILATERAL, YES.

25 Q AND IT'S YOUR OPINION, BASED ON YOUR CAREFUL
26 REVIEW OF THE MEDICAL RECORDS, THAT IN 2009 WHEN SHE'S AT
27 CEDARS, SHE'S COMPLAINING ABOUT UNILATERAL PAIN AND THAT
28 WAS HER COMPLAINT UP UNTIL AND INCLUDING THE TIME SHE WAS

1 SEEN BY DR. SHAINSKY, TRUE?

2 A NO. I'M NOT CERTAIN ABOUT THAT BECAUSE --

3 Q OH, YOU'VE ANSWERED MY QUESTION.

4 A -- I'M ONLY REFERRING TO THAT RECORD.

5 Q WERE YOU TOLD, PREDATING THIS ADMISSION AT
6 CEDARS-SINAI MEDICAL CENTER, BY MR. NEWHOUSE,
7 MS. MC BROOM, ANYBODY IN THEIR FIRM, THAT THERE WERE
8 WITNESSES, FAMILY MEMBERS, AND THE FIANCE CAME INTO THIS
9 COURTROOM AND TOLD THIS JURY THAT MS. DE ROGATIS WAS
10 COMPLAINING ABOUT CHRONIC WIDESPREAD PAIN PREDATING THIS
11 ADMISSION AT CEDARS-SINAI MEDICAL CENTER? WERE YOU AWARE
12 OF THAT?

13 A I SAW NO MEDICAL RECORD PREDATING THAT
14 OUTSIDE OF THE RECORDS GIVEN TO ME.

15 Q DID YOU READ THE DEPOSITIONS OF MR. --
16 DEPOSITION TRANSCRIPTS OF MR. MAC EACHERN, THAT WOULD BE
17 THE FIANCE THAT WAS LIVING WITH MS. DE ROGATIS FROM 2004
18 UP UNTIL THE TIME OF HER SUICIDE? DID YOU READ THAT
19 DEPOSITION?

20 A NO.

21 Q WOULD THAT HAVE BEEN IMPORTANT FOR YOU TO
22 KNOW WHAT A DAY-TO-DAY OBSERVER WAS SEEING IN TERMS OF THE
23 COMPLAINTS OF THE PATIENT, WHETHER IT WAS UNILATERAL OR
24 CHRONIC AND WIDESPREAD? WAS THAT REPORTED TO YOU?

25 A NO. I'D NEED A PHYSICIAN DESCRIPTION.

26 Q OKAY. LET'S TALK ABOUT THAT.

27 SO YOU TOLD US EARLIER THAT YOU REVIEWED THE
28 RECORDS OF A DR. SPIEGEL, RIGHT? IS THAT CORRECT?

1 A YOU'LL HAVE TO GIVE ME A MOMENT, PLEASE.
2 YES, DR. B. SPIEGEL.

3 Q CORRECT. CAN YOU PUT YOUR NOTES AWAY, SIR?

4 A OH, ALL RIGHT. YOU ASKED ME TO REMEMBER
5 WHAT IT SAID.

6 Q THAT'S OKAY. BUT I'D RATHER NOT -- I'D
7 RATHER NOT HAVE YOU USE A SCRIPT TO TESTIFY. CAN YOU PUT
8 YOUR NOTES AWAY?

9 A YES.

10 MS. MC BROOM: OBJECTION, YOUR HONOR.

11 THE COURT: YOU CAN REFER TO YOUR NOTES IF YOU
12 CAN'T REALLY REMEMBER TO REFRESH YOUR RECOLLECTION --

13 THE WITNESS: THANK YOU.

14 THE COURT: -- AT ANY TIME.

15 THE WITNESS: THANK YOU.

16 MS. MC BROOM: I MOVE TO STRIKE COUNSEL'S
17 STATEMENT.

18 THE COURT: STRICKEN.

19 BY MR. BLESSEY:

20 Q SIR, WHEN WAS IT -- CAN YOU RECALL, WITHOUT
21 LOOKING AT YOUR NOTES, WHEN WAS IT THAT THE PATIENT SAW
22 DR. SPIEGEL FOR ASSESSMENT OF HER PAIN SYMPTOMS?

23 A I CAN'T RECALL WITHOUT LOOKING AT MY NOTES.

24 Q PLEASE LOOK.

25 A JANUARY 2010.

26 Q AND DR. SPIEGEL CHARACTERIZED THE PATIENT'S
27 PAIN SYMPTOMS AS CHRONIC AND WIDESPREAD OR TERMS INTO
28 THAT -- IN THAT CATEGORY, TRUE?

1 A I'LL QUOTE WHAT HE SAID.

2 Q PLEASE.

3 A HE SAID, "PAIN PRETTY MUCH IN REST OF BODY."

4 Q "PAIN PRETTY MUCH IN REST OF BODY."

5 A "...REST OF BODY," YES.

6 Q WOULD THAT INFER TO YOU THAT THAT WAS
7 WIDESPREAD PAIN?

8 A IT'S NOT CLEAR ENOUGH, BUT IT WOULD SUGGEST
9 THAT COULD BE THE CASE. IT'S JUST NOT CLEAR ENOUGH TO ME.

10 Q OKAY.

11 A BECAUSE HE WAS DEALING MAINLY WITH ABDOMINAL
12 PAIN AND ADDED AS A RIDER, "PAIN PRETTY MUCH IN THE REST
13 OF THE BODY."

14 Q WELL, THAT'S A GOOD POINT.

15 IS IT UNCOMMON THAT FIBROMYALGIA PATIENTS
16 COMPLAIN OF GASTROINTESTINAL OR ABDOMINAL DISCOMFORT?

17 A NO, IT'S NOT UNCOMMON.

18 Q THANK YOU. OKAY.

19 SO LET'S TALK ABOUT ANOTHER DOCTOR THAT SAW
20 THE PATIENT. ARE YOU AWARE THAT THE PATIENT SAW A
21 DR. RAMIN?

22 A WOULD YOU PLEASE SPELL THAT?

23 Q SURE. R-A-M-I-N.

24 WOULD YOU LIKE TO CHECK YOUR NOTES?

25 A YES, PLEASE. THANK YOU.

26 Q YOU KNOW, DOCTOR, I MAY SAVE YOU TIME.

27 A I DON'T HAVE A NOTE HERE ABOUT DR. RAMIN.

28 Q AND YOU TOLD US AT YOUR DEPOSITION THAT THE

1 ATTORNEYS WHO RETAINED YOU IN THIS CASE DID NOT PROVIDE
2 YOU WITH DR. RAMIN'S RECORDS.

3 A THANK YOU FOR REMINDING ME.

4 Q DOES THAT SOUND CORRECT?

5 A YES, BECAUSE I DON'T SEE ANYTHING HERE.

6 Q SO YOU HAVE NO BASIS TO CHALLENGE -- WHAT
7 I'LL REPRESENT TO YOU IS THAT DR. RAMIN STATED IN HIS
8 MEDICAL RECORDS THAT THIS PATIENT HAD NOT ONLY CHRONIC
9 WIDESPREAD PAIN BUT FIBROMYALGIA AND TREATED HER WITH
10 OPIATES. YOU CAN'T CHALLENGE THAT BECAUSE YOU HAVEN'T
11 SEEN HIS RECORDS, CORRECT?

12 MS. MC BROOM: OBJECTION, YOUR HONOR. ASSUMES
13 FACTS.

14 THE COURT: OVERRULED.

15 BY MR. BLESSEY:

16 Q DOCTOR, HYPOTHETICALLY, HYPOTHETICALLY, IF
17 DR. RAMIN SAT WHERE YOU'RE SITTING IN THAT CHAIR YESTERDAY
18 AND TOLD THIS JURY THAT MS. DE ROGATIS HAD FIBROMYALGIA,
19 WAS APPROPRIATE TO TREAT HER WITH OPIATES, THAT WOULD
20 CONFLICT WITH YOUR OPINION, TRUE?

21 A YES.

22 Q AND WOULD YOU FEEL THAT DR. RAMIN, THEN, WAS
23 BELOW THE STANDARD OF CARE UNDER THE CIRCUMSTANCES OF THIS
24 CASE?

25 A I CAN'T ANSWER THAT QUESTION. I'VE NOT
26 REVIEWED THOSE RECORDS.

27 Q FAIR ENOUGH. HOW ABOUT DR. GIOMBETTI? I
28 THINK YOU MENTIONED HIS NAME.

1 A YES.

2 Q AND WHEN DID THE PATIENT SEE DR. GIOMBETTI?

3 A GIVE ME A MOMENT, PLEASE. I WILL SEE.

4 HE -- SHE SAW DR. GIOMBETTI IN JANUARY 2010.

5 Q AND IS IT NOT TRUE, SIR -- PLEASE LOOK AT

6 YOUR NOTES IF YOU NEED TO -- THAT DR. GIOMBETTI

7 CHARACTERIZED THE PATIENT'S PAIN AS BEING ENTIRE BODY?

8 A THIS IS WHAT HE SAID, "CHRONIC PAIN FOR TWO
9 YEARS BEGAN WITH HEARING VOICES THEN PAIN IN THE ENTIRE
10 BODY, LIKE WHOLE BODY ON FIRE, TINGLING IN THE WHOLE
11 BODY."

12 THAT'S WHAT HE WROTE HERE.

13 Q OKAY. AND YOU'RE AWARE THAT

14 DR. GIOMBETTI -- WELL, LET ME ASK YOU THIS: DID

15 DR. GIOMBETTI HAVE ANY INFORMATION ABOUT THE PATIENT'S

16 PSYCHIATRIC HISTORY?

17 A I DON'T KNOW THE ANSWER TO THAT.

18 Q COULD YOU CHECK YOUR NOTES AND SEE?

19 A NO, I DON'T HAVE ANYTHING IN MY NOTE ABOUT
20 WHETHER HE CHECKED THAT.

21 Q ALL RIGHT. HYPOTHETICALLY, IF DR. GIOMBETTI

22 GOT THIS HISTORY OF ENTIRE BODY PAIN AND A HISTORY OF THIS

23 PATIENT'S PSYCHIATRIC PROBLEMS AND TREATED THE PATIENT

24 WITH AN OPIATE, NORCO, WOULD YOU BELIEVE THAT

25 DR. GIOMBETTI FELL BELOW THE STANDARD OF CARE?

26 A FOR A RHEUMATOLOGIST, YES, BUT HE'S NOT A
27 RHEUMATOLOGIST.

28 Q OH, IT'S A DIFFERENT STANDARD FOR OTHER

1 SPECIALTIES. IS THAT WHAT YOU'RE SAYING?

2 A WELL, HE'S A NEUROLOGIST, AND I'M NOT
3 QUALIFIED TO JUDGE THE STANDARD OF CARE FOR NEUROLOGY.

4 Q ESPECIALLY IF HE DOES SOMETHING THAT'S
5 CONTRARY TO WHAT YOU BELIEVE, YOU'RE NOT QUALIFIED,
6 CORRECT?

7 A BY NO MEANS.

8 MS. MC BROOM: OBJECTION. ARGUMENTATIVE.

9 THE COURT: OVERRULED.

10 BY MR. BLESSEY:

11 Q AND YOU WERE REFERRING TO DR. SHAINSKY'S
12 NOTES. HOW DID DR. SHAINSKY DESCRIBE THE PRESENTATION OF
13 THIS PATIENT IN TERMS OF THE TYPE OF SYMPTOMS SHE WAS
14 COMPLAINING OF?

15 A SHE REPORTED DIFFUSE PAIN. SHE DESCRIBED IT
16 AS DIFFUSE PAIN.

17 Q SHE SAID, "HEAD TO TOE," CORRECT?

18 A I DIDN'T WRITE DOWN THAT, SO IF SHE DID,
19 I'LL TAKE YOUR WORD FOR IT. I WROTE DOWN, "DIFFUSE PAIN."

20 Q SO THE VARIOUS DOCTORS THAT WE'VE GONE
21 THROUGH, AT LEAST THE RECORDS THAT YOU'VE SEEN, HAVE ALL
22 DESCRIBED HER PAIN DIFFERENTLY THAN WHAT WAS DESCRIBED IN
23 THE CEDARS-SINAI MEDICAL CENTER RECORDS IN 2009; WOULD YOU
24 AGREE?

25 A YES, THAT IS CORRECT.

26 Q ALL RIGHT. NOW, LET'S TALK A LITTLE BIT
27 ABOUT THIS CONCEPT OF THE STANDARD OF CARE, AND CAN YOU
28 DEFINE FOR THE JURY WHAT DEFINITION YOU HAD IN YOUR MIND

1 WHEN YOU LOOKED AT THE RECORDS IN THIS CASE? WHAT IS THE
2 STANDARD OF CARE? CAN YOU DEFINE IT? I MEAN IN A GLOBAL
3 SENSE.

4 A ACCURACY OF DIAGNOSIS, THE RECOGNITION THAT
5 PSYCHIATRIC DISEASE CAN LOOK LIKE FIBROMYALGIA --

6 Q SIR, LET ME STOP YOU.

7 A I'M SORRY.

8 Q WHAT I'M ASKING FOR HERE --

9 MR. BLESSEY: LET ME MOVE TO STRIKE AS
10 NONRESPONSIVE.

11 MS. MC BROOM: WELL, HE WASN'T PERMITTED TO FINISH
12 HIS ANSWER.

13 THE COURT: CAN YOU DEFINE WHAT THE STANDARD OF
14 CARE IS?

15 BY MR. BLESSEY:

16 Q AS A CONCEPT, SIR.

17 THE WITNESS: YES, YOUR HONOR.

18 THE COURT: GO AHEAD AND DO THAT.

19 THE WITNESS: A CORRECT DIAGNOSIS AND AN
20 APPROPRIATE MANAGEMENT BACKED UP BY PEER-REVIEWED
21 STANDARDS OF CARE.

22 BY MR. BLESSEY:

23 Q "PEER-REVIEWED STANDARDS" MEANING WHAT OTHER
24 DOCTORS WOULD SAY IS REASONABLE; IS THAT A FAIR WAY TO SAY
25 IT?

26 A IN YOUR SPECIALTY, YES.

27 Q NOW, YOU DON'T BELIEVE THAT THIS PATIENT
28 HAD, IN FACT, FIBROMYALGIA, TRUE?

1 A THAT IS CORRECT.

2 Q YOU DO BELIEVE, HOWEVER, THAT CHRONIC
3 WIDESPREAD PAIN CAN BE CAUSED BY PSYCHIATRIC ISSUES?

4 A YES.

5 Q FIBROMYALGIA?

6 A YES.

7 Q OR A COMBINATION OF BOTH?

8 A YES.

9 Q AND YOU JUST HAPPENED TO THINK IN THIS CASE
10 IT'S NOT A COMBINATION OF BOTH. IT'S JUST THE PSYCHIATRIC
11 ISSUES THAT ARE CAUSING THIS PATIENT'S PAIN, TRUE?

12 A NO. WHAT I SAID WAS THAT THE CAUSE OF THIS
13 PATIENT'S PAIN WAS HER PSYCHIATRIC DISEASE, HER PSYCHOTIC
14 ILLNESS.

15 Q I THINK THAT'S WHAT I JUST SAID. IN THIS
16 CASE -- YOU HAVE THREE OPTIONS, DOCTOR: PSYCHIATRIC
17 CAUSE, FIBROMYALGIA, OR A COMBINATION OF BOTH; THAT IS,
18 THE PATIENT HAS PSYCHIATRIC ISSUES AND THEY ALSO HAVE
19 FIBROMYALGIA, OKAY? DO YOU HAVE THAT IN MIND? THAT'S
20 YOUR OPINION, RIGHT?

21 A NO. ON THIS PATIENT? ON THIS PATIENT?

22 Q OKAY. LET ME CLARIFY.

23 IN GENERAL, YOU TOLD US AT DEPOSITION --
24 I'LL READ IT IF I NEED TO -- THAT FIBROMYALGIA -- I'M
25 SORRY -- CHRONIC WIDESPREAD PAIN CAN BE CAUSED BY
26 PSYCHIATRIC ISSUES, BY FIBROMYALGIA, OR A COMBINATION OF
27 BOTH CONDITIONS?

28 A YES.

1 Q THANK YOU. AND IN THIS CASE, YOU JUST
2 HAPPENED TO BELIEVE THAT IT'S ONLY THE PSYCHIATRIC ISSUES
3 THAT WERE CAUSING THE WIDESPREAD PAIN IN THIS PATIENT,
4 TRUE?

5 A YES.

6 Q THANK YOU. ALL RIGHT. NOW, YOU'RE AWARE,
7 ARE YOU NOT, THAT YOUR OPINION ABOUT WHETHER OR NOT IT
8 WAS -- LET ME ABBREVIATE -- FIBROMYALGIA WAS A PROPER
9 DIAGNOSIS IS NOT ACCEPTED BY VIRTUALLY EVERY OTHER DOCTOR
10 THAT EITHER SAW THE PATIENT OR TESTIFIED AS EXPERT
11 WITNESSES; ARE YOU AWARE OF THAT?

12 A NO. IT'S NOT --

13 MS. MC BROOM: YOUR HONOR, THAT MISSTATES THE
14 RECORD. IT'S ARGUMENTATIVE.

15 THE COURT: IT WILL BE SUBJECT TO BEING STRICKEN IF
16 HE CAN'T HOOK IT UP.

17 BY MR. BLESSEY:

18 Q LET ME ASK YOU: WE HAVE DR. BLUESTONE ON
19 THE COLUMN OF "NO FIBROMYALGIA."

20 YOU READ DR. ALAN WEINBERGER'S DEPOSITION;
21 DID YOU NOT?

22 A YES.

23 Q AND HE BELIEVES THE PATIENT HAD
24 FIBROMYALGIA, TRUE?

25 A YES.

26 Q THERE'S SOME DISAGREEMENT THERE, RIGHT?

27 HOW ABOUT DR. RAMIN; DID DR. RAMIN BELIEVE
28 THE PATIENT HAD FIBROMYALGIA?

1 MS. MC BROOM: YOUR HONOR, OBJECTION. CALLS FOR
2 SPECULATION.

3 THE WITNESS: I BELIEVE I HAVEN'T REVIEWED --

4 THE COURT: OVERRULED. OVERRULED. JUST TELL US
5 FROM THE MEDICAL RECORDS OF HIS THAT YOU REVIEWED.

6 MS. MC BROOM: HE TESTIFIED TODAY HE DIDN'T REVIEW
7 THEM.

8 THE WITNESS: I HAVEN'T REVIEWED DR. RAMIN'S
9 RECORDS.

10 THE COURT: ALL RIGHT. FAIR ENOUGH.

11 BY MR. BLESSEY:

12 Q YOU DON'T KNOW AS YOU SIT HERE TODAY WHETHER
13 OR NOT DR. RAMIN TESTIFIED TO THIS JURY YESTERDAY THAT THE
14 PATIENT HAD FIBROMYALGIA?

15 A NO, I DON'T KNOW.

16 Q OKAY. BY THE WAY, YOU HAVE CERTAIN PATIENTS
17 THAT COME TO YOU THAT HAVE PAIN SYMPTOMS THAT YOU REFER TO
18 PAIN MANAGEMENT SPECIALISTS AT CEDARS-SINAI MEDICAL
19 CENTER, CORRECT?

20 A YES.

21 Q YOU RELY ON THEM TO MANAGE CERTAIN TYPES OF
22 PATIENTS, RIGHT?

23 A YES.

24 Q AND DO YOU KNOW DR. LAURA AUDELL?

25 A YES.

26 Q SHE'S ONE OF THOSE DOCTORS IN THE PAIN
27 MANAGEMENT GROUP THAT YOU RELY ON TO MANAGE SOME OF YOUR
28 PATIENTS, CORRECT?

1 IN OPEN COURT, OUTSIDE THE PRESENCE
2 OF THE JURY:)

3
4 MS. MC BROOM: YOUR HONOR, WE WANTED TO BRING UP,
5 THERE WAS MENTION OF DR. BRUCE STARK DURING
6 CROSS-EXAMINATION. WE'RE NOT INTENDING TO CALL DR. BRUCE
7 STARK.

8 THE COURT: I'M SORRY, WHO?

9 MS. MC BROOM: DR. BRUCE STARK.

10 THE COURT: YES.

11 MS. MC BROOM: HE WAS DESIGNATED AS AN EXPERT LONG
12 AGO. WE'RE NOT CALLING HIM.

13 THE COURT: YEAH. HE STILL HAS AN OPINION, THOUGH.

14 MR. BLESSEY: HE WAS DEPOSED.

15 MS. MC BROOM: HE WAS DEPOSED.

16 THE COURT: HE WAS DEPOSED.

17 MS. MC BROOM: BUT I THINK WITHOUT HIM PROVIDING
18 TESTIMONY BEFORE THE JURY, IT'S INAPPROPRIATE TO STATE HIS
19 OPINION.

20 MR. NEWHOUSE: WELL, PARTICULARLY BECAUSE THIS
21 WITNESS DIDN'T --

22 THE COURT: HOW WOULD COUNSEL KNOW THAT, THAT
23 YOU'RE NOT INTENDING TO CALL DR. STARK --

24 MR. NEWHOUSE: BECAUSE WE TOLD HIM --

25 THE COURT: WHEN WAS HE DEPOSED?

26 MR. NEWHOUSE: BECAUSE WE TOLD HIM TWO DAYS AGO.
27 AND STARK -- THE ONLY REASON I RAISE THE ISSUE IS WHEN
28 COUNSEL DETERMINED THAT HE HAD NOT READ STARK'S

1 DEPOSITION, IT'S IMPROPER FOR HIM TO START RECITING WHAT
2 STARK SAID IN HIS DEPOSITION. THE WITNESS DOESN'T KNOW
3 THAT, STARK ISN'T GOING TO BE A WITNESS, AND WE WOULD ASK
4 FOR AN INSTRUCTION THAT THAT KIND OF THING NOT BE DONE
5 BECAUSE HE'S BASICALLY TESTIFYING. OKAY? STARK ISN'T
6 GOING TO TESTIFY. HE ISN'T GOING TO BE HERE, AND COUNSEL
7 SHOULD NOT BE PERMITTED TO BASICALLY TESTIFY BECAUSE THE
8 WITNESS CLEARLY DOESN'T KNOW WHAT STARK SAID. HE DIDN'T
9 READ THE DEPO. IT WOULD BE DIFFERENT IF HE HAD READ THE
10 DEPO.

11 THE COURT: HOW WAS I TO KNOW THAT HE WASN'T GOING
12 TO BE CALLED?

13 MR. NEWHOUSE: WE'RE NOT FAULTING THE COURT. WE'RE
14 RAISING THIS ISSUE SO THAT -- TO ASK FOR AN INSTRUCTION.
15 ABSOLUTELY, YOU DIDN'T KNOW.

16 THE COURT: HOW AM I TO KNOW THAT HE WAS OR WASN'T
17 DEPOSED? I MEAN, I KNOW HE GAVE HIS OPINIONS IN THE CASE.

18 MR. NEWHOUSE: YOU COULDN'T POSSIBLY HAVE KNOWN,
19 AND THAT'S WHY WE WANTED TO TELL YOU, TO ASK THAT
20 MR. BLESSEY BE TOLD IT'S NOT APPROPRIATE TO GO INTO WHAT
21 STARK MAY OR MAY NOT HAVE SAID IN HIS DEPOSITION.

22 THE COURT: NOW, IF BLUESTONE HAD READ HIS
23 DEPOSITION --

24 MR. NEWHOUSE: THAT WOULD BE DIFFERENT.

25 THE COURT: -- HE CONSIDERED IT.

26 MR. NEWHOUSE: IT WOULD BE DIFFERENT. BUT HE
27 DIDN'T.

28 MR. BLESSEY: WELL, THERE'S THE OTHER ISSUE, YOUR

1 HONOR. I HAVE NO IDEA, LET'S SAY, JUST FROM A STRATEGY
2 DECISION, AND THIS IS JUST AN EXAMPLE, THE PLAINTIFFS'
3 LAWYERS DECIDE, "GEE, DR. STARK'S OPINIONS ARE 180 DEGREES
4 FROM DR. BLUESTONE'S," AND THEY TELL DR. BLUESTONE THAT
5 "DR. STARK SAYS 'A,' 'B,' 'C,' AND 'D' IN A DEPOSITION."
6 I DON'T KNOW IF DR. BLUESTONE IS AWARE THROUGH COUNSEL
7 WHAT DR. STARK SAID.

8 I'M NOT GOING TO GET INTO IT ANY FURTHER
9 WITH THIS WITNESS. I WILL SAY, THOUGH, THAT MY EXPERTS
10 REVIEWED DR. STARK'S DEPOSITION, AND THEY WILL COMMENT ON
11 IT.

12 THE COURT: ALL RIGHT.

13 MR. NEWHOUSE: THAT'S DIFFERENT. THANK YOU, YOUR
14 HONOR.

15 THE COURT: ALL RIGHT. LET'S BRING IN THE JURORS,
16 INCLUDING DR. BLUESTONE.

17
18 (THE FOLLOWING PROCEEDINGS WERE HELD
19 IN OPEN COURT, IN THE PRESENCE OF
20 THE JURY:)

21
22 THE COURT: ALL RIGHT. PLEASE HAVE A SEAT.
23 WELCOME BACK, LADIES AND GENTLEMEN. WE'RE BACK ON THE
24 RECORD. ALL JURORS ARE PRESENT, IN PLACE. PARTIES ARE
25 PRESENT. LAWYERS ARE PRESENT. DR. RODNEY BLUESTONE HAS
26 RESUMED THE WITNESS STAND. REMINDER, PLEASE, SIR, THAT
27 YOU REMAIN UNDER OATH, UNDERSTOOD?

28 THE WITNESS: YES, SIR.

1 BY MR. BLESSEY:

2 Q DR. BLUESTONE, I CAN FINISH THIS UP FAIRLY
3 QUICKLY WITH A COUPLE OF QUESTIONS FOR YOU. DID YOU -- AT
4 THE BREAK, DID YOU HAVE A DISCUSSION WITH MR. NEWHOUSE?

5 MR. NEWHOUSE: I'M MR. NEWHOUSE.

6 THE WITNESS: YES, WE HAD A CONVERSATION.

7 BY MR. BLESSEY:

8 Q WAS HE TELLING YOU ABOUT SOMETHING YOU
9 SHOULD SAY IN YOUR TESTIMONY?

10 A NO.

11 Q DID HE SHARE WITH YOU SOME RECORDS?

12 A YES.

13 Q OKAY. NOW, BEFORE WE GET TO FINISH THIS
14 LIST, YOU WERE TELLING THE JURY ABOUT THE SYNDROME OF
15 CHRONIC WIDESPREAD PAIN, AND I JUST WANT TO MAKE SURE I'M
16 CLEAR.

17 YOU HAVE NEVER IN YOUR CAREER DONE ANY
18 EXPERIMENTAL OR SCIENTIFIC WORK IN THE FIELD OF CHRONIC
19 WIDESPREAD PAIN, TRUE?

20 A THAT IS CORRECT, I HAVE DONE NO SCIENTIFIC
21 WORK EXPERIMENTS IN THIS FIELD.

22 Q OKAY. THANK YOU, DOCTOR.

23 ALL RIGHT. NOW, ON THIS LIST, I THINK AT
24 THE BREAK, RIGHT BEFORE THE BREAK, I WAS ASKING ABOUT
25 DR. BOHN, THE PSYCHIATRIST, RIGHT?

26 A YES.

27 Q AND ARE YOU AWARE FROM READING HIS
28 DEPOSITION OR FROM TALKING TO COUNSEL WHETHER OR NOT

1 DR. BOHN TOLD THIS JURY IN TRIAL THAT HE FELT THAT
2 MS. DE ROGATIS HAD FIBROMYALGIA, IN FACT, PSYCHIATRIC
3 ISSUES AND FIBROMYALGIA ARE COMMON; WERE YOU AWARE OF
4 THAT?

5 A NO, I DON'T KNOW WHAT DR. BOHN TOLD THE
6 JURY.

7 Q OKAY. HOW ABOUT THROUGH HIS DEPOSITION?
8 DID YOU READ HIS DEPOSITION?

9 A I READ HIS DEPOSITION.

10 Q DID YOU MAKE NOTE THAT HE FELT THAT
11 FIBROMYALGIA WAS A MAINSTREAM DIAGNOSIS, THAT IT WAS
12 APPROPRIATE TO TREAT WITH OPIATES AND THIS PATIENT HAD, IN
13 FACT, FIBROMYALGIA?

14 A I READ THAT, YES.

15 Q AND THEN LAST, I THINK, BUT REALLY NOT
16 LEAST, DR. SPIEGEL, YOU'RE AWARE THAT DR. SPIEGEL OPINED
17 ABOUT WHETHER OR NOT THE PATIENT HAD FIBROMYALGIA?

18 A I BELIEVE THAT WAS -- EXCUSE ME A MINUTE,
19 PLEASE.

20 NO, I DID NOT READ IN DR. SPIEGEL'S NOTE
21 THAT HE DIAGNOSED FIBROMYALGIA. I READ HIS NOTE OF
22 1/2010. HE DIDN'T SAY THAT.

23 Q DO YOU HAVE -- ARE THOSE YOUR NOTES OR
24 DR. SPIEGEL'S NOTES?

25 A THESE ARE MY NOTES.

26 Q OKAY. SO IS IT POSSIBLE YOU MIGHT HAVE LEFT
27 THAT OUT?

28 A WELL, I BELIEVE I READ DR. SPIEGEL'S REPORT.

1 REMEMBER, I TOLD YOU, I READ A REPORT WHILE IN RECESS, AND
2 I READ THAT REPORT. HE DIDN'T SAY THAT SHE HAD
3 FIBROMYALGIA IN THAT REPORT.

4 Q SO WE SHOULDN'T INCLUDE HIM WITH THE OTHER
5 FIVE DOCTORS THAT FELT SHE HAD FIBROMYALGIA?

6 A THAT'S NOT -- THAT'S NOT FOR ME TO DECIDE.

7 Q OKAY. NOW, ON THE ISSUE OF TREATING
8 FIBROMYALGIA WITH OPIATES, YOU'RE AWARE, ARE YOU NOT, THAT
9 DR. WEINBERGER BELIEVES IT WAS WITHIN THE STANDARD OF CARE
10 TO DO SO, CORRECT?

11 A I -- YES, I READ HIS DEPOSITION.

12 Q AND YOU'RE NOT SURE ABOUT DR. RAMIN, BUT
13 HYPOTHETICALLY, ONCE AGAIN, IF DR. THE RAMIN WAS HERE IN
14 COURT AND TOLD THIS JURY THAT IT WAS APPROPRIATE TO TREAT
15 THIS PATIENT WITH OPIATES, THAT WOULD CONFLICT WITH YOUR
16 OPINION, CORRECT?

17 A YES, I WOULD STRONGLY -- IT WOULD STRONGLY
18 CONFLICT WITH MY OPINION.

19 Q ALL RIGHT. AND HOW ABOUT DR. AUDELL; YOU'RE
20 AWARE THAT SHE DOESN'T AGREE WITH YOUR OPINION ABOUT
21 OPIATES TREATING THIS PATIENT, CORRECT?

22 A AND I STRONGLY DISAGREE WITH DR. AUDELL.

23 Q AND DR. STARK, WE'LL SKIP OVER.

24 HOW ABOUT DR. BOHN; HE ALSO --
25 HYPOTHETICALLY, IF HE TOLD THIS JURY THAT IT WAS NOT ONLY
26 APPROPRIATE TO TREAT MS. DE ROGATIS WITH OPIATES, IT WAS
27 IMPORTANT TO MINIMIZE HER RISK OF SUICIDE, THAT WOULD
28 DISAGREE WITH YOUR OPINION, AS WELL?

1 MS. MC BROOM: EXCUSE ME. OBJECTION. IT MISSTATES
2 THE EVIDENCE, YOUR HONOR.

3 THE COURT: SUSTAINED. HE DID SAY THAT HE DID NOT
4 PRESCRIBE OPIATES. HE LEFT THAT TO OTHERS.

5 BY MR. BLESSEY:

6 Q BUT HE DID ALSO SAY -- HYPOTHETICALLY,
7 DOCTOR, IF DR. BOHN TOLD THIS JURY YESTERDAY UNDER OATH
8 THAT IT WAS APPROPRIATE AND REASONABLE TO TREAT THIS
9 PATIENT WITH OPIATES, YOU WOULD DISAGREE WITH THAT,
10 CORRECT?

11 MS. MC BROOM: OBJECTION, YOUR HONOR. IT STILL
12 MISSTATES THE EVIDENCE.

13 THE COURT: I'M GOING TO LEAVE THAT UP TO THE JURY.
14 OVERRULED. GO AHEAD.

15 THE WITNESS: I WOULD STRONGLY DISAGREE WITH IT.

16 BY MR. BLESSEY:

17 Q DO YOU KNOW, SIR, OF THE LIST OF THE DOCTORS
18 THAT WE HAD -- I GUESS I SHOULD PUT THIS PAGE BACK -- OF
19 THE TREATING DOCTORS, THAT WOULD BE DOCTOR -- LET'S START
20 WITH DR. SPIEGEL.

21 WAS DR. SPIEGEL AWARE OF THE PATIENT'S
22 PSYCHIATRIC CONDITION WHEN HE SAW HER IN JANUARY 2010?

23 A I DID NOT SEE ANY AWARENESS OF THAT.

24 Q HYPOTHETICALLY, SIR, IF DR. SPIEGEL'S
25 RECORDS INDICATE THAT HE WAS AWARE OF THE PATIENT'S
26 PSYCHIATRIC PROBLEMS AND TREATED THE PATIENT WITH NORCO,
27 WOULD HE BE PRACTICING BELOW THE STANDARD OF CARE?

28 A NO, BECAUSE HE'S A GASTROENTEROLOGIST. SHE

1 WAS COMPLAINING OF SEVERE ABDOMINAL PAIN, AND UNTIL HE
2 COULD SORT IT OUT, HE GAVE HER A LIMITED NUMBER OF NORCO.
3 I FELT THAT WAS REASONABLE.

4 Q ARE YOU AS SURE ABOUT HIM BEING A
5 GASTROENTEROLOGIST AS YOU ARE WITH ALL YOUR OTHER OPINIONS
6 IN THIS CASE?

7 A NO, I THINK HE'S -- NO, I THINK HE IS A PAIN
8 MEDICINE DOCTOR, PAIN MANAGEMENT, I BELIEVE.

9 Q YOU MADE A MISTAKE IN YOUR TESTIMONY?

10 A YES, HE'S A PAIN MANAGEMENT DOCTOR.

11 Q SO HERE'S WHAT I WANTED TO KNOW THEN, ON
12 THAT SCORE: ARE YOU TELLING THIS JURY THAT WHEN A PATIENT
13 PRESENTS TO A PHYSICIAN WITH CHRONIC WIDESPREAD PAIN, THAT
14 THE STANDARD OF CARE IS DIFFERENT FOR DIFFERENT
15 SPECIALTIES?

16 A I DON'T UNDERSTAND YOUR QUESTION.

17 Q WELL --

18 A COULD YOU CLARIFY IT?

19 Q LET ME SEE IF I CAN HELP.

20 YOU UNDERSTAND THAT THERE'S SOMEWHAT OF AN
21 OVERLAP SOMETIMES IN TERMS OF TREATING PATIENTS FOR --
22 LET'S USE PAIN SYMPTOMS, CORRECT? IN OTHER WORDS,
23 RHEUMATOLOGISTS DO IT, RIGHT?

24 A YEAH.

25 Q PAIN MANAGEMENT SPECIALISTS DO IT?

26 A YES, YES.

27 Q INTERNISTS DO IT, CORRECT?

28 A YES.

1 Q SO WHEN A DOCTOR WHO IS NOT A RHEUMATOLOGIST
2 TREATS A PATIENT WITH CHRONIC WIDESPREAD PAIN, DO THEY NOT
3 ESSENTIALLY TAKE ON THE RESPONSIBILITIES OF A
4 RHEUMATOLOGIST IN TREATING THAT PATIENT?

5 A YES, SOMETIMES.

6 Q THAT'S WHAT I THOUGHT.

7 SO, BACK TO THESE TREATING DOCTORS, YOU
8 DON'T THINK DR. SPIEGEL WAS AWARE -- HE'S NOT ON THE
9 LIST -- OF THE PSYCHIATRIC CONDITION. WHAT ABOUT -- WHAT
10 ABOUT DR. BOHN? HE OBVIOUSLY WAS AWARE OF THE PATIENT'S
11 PSYCHIATRIC ISSUES, CORRECT?

12 A YES. HE'S A PSYCHIATRIST.

13 Q WAS HE PRACTICING BELOW THE STANDARD OF CARE
14 BY ALLOWING THE PATIENT TO BE TREATED WITH NORCO?

15 MS. MC BROOM: OBJECTION, YOUR HONOR. MISSTATES
16 THE EVIDENCE.

17 THE COURT: OVERRULED. THAT'S FOR THE JURY'S
18 DETERMINATION.

19 THE WITNESS: I MADE NO OBSERVATION ABOUT THAT. IN
20 MY NOTES ABOUT PAUL BOHN, I DISCUSS WHAT HE LOOKED AT. I
21 SAW WHAT HE WAS TRYING TO DO, BUT I DIDN'T SEE ANYTHING OF
22 THE NATURE YOU'VE JUST MENTIONED.

23 BY MR. BLESSEY:

24 Q AND YOU CAN'T COMMENT ON DR. RAMIN; YOU
25 ALREADY ESTABLISHED YOU HADN'T SEEN HIS RECORDS OR HIS
26 DEPOSITION?

27 A THAT IS CORRECT.

28 Q WERE YOU AWARE BEFORE YOU CAME INTO COURT

1 TODAY OF DR. RAMIN AS A TREATING DOCTOR?

2 A I DON'T BELIEVE SO.

3 Q NOW, I THINK THE LAST ISSUE I HAVE FOR YOU
4 IS, THERE WAS SOME MENTION ABOUT CYMBALTA --

5 A YES.

6 Q -- ON DIRECT EXAM.

7 NOW, WHEN A RHEUMATOLOGIST PRESCRIBES
8 CYMBALTA, IT'S GENERALLY TO TREAT PAIN SYMPTOMS, TRUE?

9 A IT MAY BE -- NO. RHEUMATOLOGISTS USE IT
10 SOMETIMES TO TREAT CHRONIC PAIN AND SOMETIMES TO TREAT
11 DEPRESSION.

12 Q WHAT'S YOUR IMPRESSION OF WHAT DR. SHAINSKY
13 WAS DOING IN THIS CASE -- IN THIS CASE? THAT IS, WHAT WAS
14 YOUR UNDERSTANDING OF WHY SHE PRESCRIBED CYMBALTA IN THIS
15 CASE?

16 A I THINK SHE WAS GIVING CYMBALTA BECAUSE SHE
17 DIAGNOSED FIBROMYALGIA.

18 Q AND WHAT WAS YOUR UNDERSTANDING -- WE TALKED
19 ABOUT THE CONFLICT IN HER NOTES ABOUT WHETHER OR NOT TO
20 USE OPIATES. LET ME ASK IT THIS WAY: YOU'VE HEARD THE
21 EXPRESSION "TITRATING MEDICATIONS"?

22 A YES.

23 Q WHAT DOES THAT MEAN TO YOU?

24 A THAT MEANS YOU WEAN OFF ONE DRUG WHILE YOU
25 INTRODUCE ANOTHER. USUALLY IT MEANS THAT, OR YOU'RE JUST
26 TITRATING, GRADUALLY REDUCING ONE DRUG.

27 Q OKAY. SO, FOR EXAMPLE, IN THIS CASE,
28 CYMBALTA WAS A DRUG, WAS IT YOUR UNDERSTANDING, THAT

1 DR. SHAINSKY WAS TITRATING?

2 A I DIDN'T SEE ANY EVIDENCE OF THAT. I JUST
3 SAW A PRESCRIPTION, AND I DIDN'T SEE ACTUALLY A NOTE
4 SAYING SHE'S TITRATING.

5 Q WELL, DID YOU SEE THE -- THE FIRST
6 PRESCRIPTION, DOCTOR, IN YOUR CAREFUL REVIEW OF THE
7 RECORDS OF A 30-MILLIGRAM PRESCRIPTION FOR CYMBALTA?

8 A YES.

9 Q AND THEN DID YOU SUBSEQUENTLY SEE IN LATER
10 VISITS A 60-MILLIGRAM PRESCRIPTION FOR CYMBALTA?

11 A YES, YES.

12 Q DID YOU NOT THINK THAT MAYBE SHE WAS
13 TITRATING UP THE CYMBALTA IN AN EFFORT TO EVENTUALLY
14 DECREASE THE OPIATE? DID YOU NOT THINK THAT?

15 A NO, I CAN'T MAKE THAT CONCLUSION. ALL I SAW
16 WAS THAT SHE INCREASED THE DOSE OF CYMBALTA TO A MORE
17 SUBSTANTIAL DOSE.

18 Q SO AS YOU SIT HERE TODAY, UNDER OATH --

19 A YES.

20 Q -- YOU HAVE NO OPINION WHETHER OR NOT
21 DR. SHAINSKY WAS TITRATING UP THE CYMBALTA WITH THE
22 LONG-TERM GOAL OF EVENTUALLY TAKING THE PATIENT OFF
23 OPIATES? YOU HAVE NO OPINION ABOUT THAT?

24 A NO. I HAVE NO STRONG OPINION EXCEPT WHAT
25 SHE WROTE AND AS OPPOSED TO WHAT SHE DID.

26 Q YOU HAVE A STRONG OPINION ABOUT YOUR
27 INTERPRETATION OF WHAT SHE WROTE, CORRECT?

28 A OH, YES. I ONLY HAVE HER RECORDS.

1 Q JUST TO BE CLEAR, YOU NEVER HAD THE
2 OPPORTUNITY TO EVALUATE, EXAMINE MS. DE ROGATIS, DID YOU?

3 A THAT IS CORRECT.

4 Q YOUR OPINIONS ARE BASED ON WHAT YOU REMEMBER
5 IN THE MEDICAL RECORDS, THAT IS, THE MEDICAL RECORDS THAT
6 YOU WERE PROVIDED WITH BY COUNSEL, TRUE?

7 A WELL, NOT ON MEMORY. I'VE MADE NOTES
8 BECAUSE I COULDN'T REMEMBER IT.

9 Q WELL, YOU CERTAINLY DIDN'T WRITE DOWN
10 EVERYTHING IN YOUR NOTES, DID YOU?

11 A NO. I WROTE DOWN WHAT I FELT WERE THE KEY
12 AREAS.

13 Q YOU DON'T BELIEVE THAT THE PRESCRIPTION FOR
14 CYMBALTA, THE TREATMENT WITH CYMBALTA, WAS BELOW THE
15 STANDARD OF CARE, DO YOU?

16 A NO. WHAT I'VE SAID, AND I BELIEVE I SAID IN
17 DEPOSITION --

18 Q WAS YOUR ANSWER "NO," SIR?

19 MS. MC BROOM: YOUR HONOR, COULD HE BE PERMITTED TO
20 ANSWER?

21 THE COURT: I'M SORRY. IS THE ANSWER "NO" OR
22 "YES"? WAS THE TREATMENT IN THE USE OF CYMBALTA -- ARE
23 YOU CRITICAL OF THAT?

24 THE WITNESS: I WAS ONLY CRITICAL, YOUR HONOR, THAT
25 SHE WAS ALREADY TAKING PSYCHIATRIC MEDICATIONS AND NO
26 ATTEMPT HAD BEEN MADE TO LIAISE WITH THE TREATING
27 PSYCHIATRIST. THAT WAS MY ONLY CRITICISM. I'M NOT
28 CRITICAL OF USING THAT DRUG IN PATIENTS WITH CHRONIC

1 WIDESPREAD PAIN.

2 MR. BLESSEY: I MOVE TO STRIKE AS NONRESPONSIVE.

3 BY MR. BLESSEY:

4 Q LET ME ASK A "YES" OR "NO" QUESTION.

5 A YES.

6 Q WAS THE PRESCRIPTION BY DR. SHAINSKY FOR
7 CYMBALTA IN THIS CASE BELOW THE STANDARD OF CARE?

8 A NO.

9 MR. BLESSEY: THANK YOU. NOTHING FURTHER.

10 THE COURT: REDIRECT.

11 MS. MC BROOM: THANK YOU, YOUR HONOR.

12

13 REDIRECT EXAMINATION

14 BY MS. MC BROOM:

15 Q DR. BLUESTONE, HAS ANY AMOUNT OF MONEY BEEN
16 PAID OR GOING TO BE PAID FOR TODAY'S TESTIMONY INFLUENCED
17 YOUR OPINION OF DR. SHAINSKY'S CARE IN THIS CASE?

18 A NO.

19 Q YOU MENTIONED TODAY THAT LEGAL-MEDICAL
20 CASES, YOU KNOW, TAKE UP ABOUT 2 PERCENT OF YOUR PRACTICE.

21 SO AM I RIGHT THAT THE INCOME YOU RECEIVE
22 FROM MEDICAL-LEGAL CASES, YOU'RE NOT DEPENDENT ON TO
23 SUPPORT YOUR PRACTICE?

24 A THAT IS CORRECT.

25 Q THERE'S A LIST OF DOCTORS HERE.

26 A YES.

27 Q AND DO YOU UNDERSTAND DR. WEINBERGER IS
28 RETAINED BY THE DEFENSE?

1 A YES, I READ THAT.

2 Q SO ARE YOU SURPRISED THAT HE WOULD DISAGREE
3 WITH YOUR OPINION?

4 A WELL, I HAVE NOT MADE ANY -- NO, I HAVE NO
5 THOUGHTS ABOUT THAT.

6 Q DR. RAMIN, YOU TALKED ABOUT. DO YOU
7 UNDERSTAND HIM TO BE A RHEUMATOLOGIST?

8 A I DON'T KNOW HIM AS A RHEUMATOLOGIST.

9 Q OKAY. DR. AUDELL, DO YOU UNDERSTAND HER TO
10 BE RETAINED BY THE DEFENSE TO DISAGREE WITH YOUR OPINION?

11 A I DON'T -- I DON'T KNOW THE REASON FOR HER
12 RETENTION.

13 Q OKAY. DO YOU UNDERSTAND WHETHER SHE IS A
14 RHEUMATOLOGIST?

15 A OH, I KNOW SHE'S NOT A RHEUMATOLOGIST.
16 SHE'S A PAIN MANAGEMENT SPECIALIST.

17 Q AND DR. STARK, YOU HAVEN'T REVIEWED THOSE
18 RECORDS, CORRECT?

19 A CORRECT.

20 Q YOU UNDERSTAND HIM TO BE A RHEUMATOLOGIST?

21 A NO, HE'S NOT A RHEUMATOLOGIST.

22 Q AND DR. BOHN, DO YOU UNDERSTAND HIM TO BE A
23 RHEUMATOLOGIST?

24 A NO, HE'S NOT A RHEUMATOLOGIST.

25 Q AND IN YOUR REVIEW OF THE RECORDS, DID YOU
26 SEE THAT ANY OF THE OTHER TREATING PHYSICIANS OF TARA
27 DE ROGATIS HAD ACCESS TO THAT CEDARS E.R. DOCUMENT WHICH
28 OUTLINED TARA'S PSYCHIATRIC HISTORY?

1 A NO. I DON'T SEE THAT ANY OF THEM WERE AWARE
2 OF THAT DOCUMENT.

3 Q THERE WAS SOME DISCUSSION ABOUT
4 DR. SPIEGEL'S RECORDS. I'D LIKE TO DIRECT YOUR ATTENTION
5 TO EXHIBIT 102. I'LL GET THAT FOR YOU. IT MIGHT BE HARD
6 TO READ.

7 A I CAN READ IT OKAY.

8 Q OKAY.

9 A YES.

10
11 (MARKED FOR IDENTIFICATION, JOINT
12 EXHIBITS 102-1 TO 102-6, 1/11/10
13 MEDICAL RECORDS OF BRADLEY SPIEGEL,
14 M.D.)

15
16 BY MS. MC BROOM:

17 Q DO YOU RECALL REVIEWING THESE RECORDS?

18 A YES. AND I WAS -- REREVIEWED THEM DURING
19 THE INTERMISSION WE HAD.

20 Q OKAY. IS IT YOUR UNDERSTANDING FROM A
21 REVIEW -- DO YOU HAVE AN UNDERSTANDING OF WHAT DR. SPIEGEL
22 DIAGNOSED TARA WITH?

23 A IT'S ON THE NEXT PAGE, I THINK.

24 Q GO AHEAD AND TAKE YOUR TIME --

25 A THANK YOU.

26 Q -- TO REFRESH YOUR RECOLLECTION.

27 A THANK YOU. YES, THIS IS WHAT I RECALL NOW,
28 HE DIDN'T ACTUALLY STATE -- HE DIDN'T ACTUALLY MAKE A

1 DIAGNOSTIC STATEMENT.

2 Q HE DID NOT MAKE A DIAGNOSTIC STATEMENT?

3 A NO, HE DID NOT, NO, NO. HE EXPLAINED HER
4 HISTORY AND THE PHYSICAL AND THE DILEMMAS, BUT HE DID NOT
5 MAKE A DIAGNOSTIC STATEMENT.

6 Q DID HE PROVIDE SOME REASONING AS TO WHY HE
7 WASN'T ABLE TO DO SO?

8 A WELL, HE SAID SHE HAD HAD AN EXTENSIVE
9 WORKUP IN THE PAST WITH A LONG HISTORY OF THE SYMPTOMS,
10 AND HE COULDN'T DO -- HE THOUGHT -- HE WOULD TRY AND WORK
11 UP WHY SHE HAS PAIN, BUT HE WAS WANTED TO FIND OUT WHAT
12 TESTS HAD BEEN DONE IN THE PAST.

13 AND THEN HE FOUND OUT SHE HAD BEEN TAKING
14 SOME VICODIN FROM HER FRIEND, AND HE EXPLAINED TO HER THAT
15 THAT WASN'T A GOOD THING TO DO UNTIL THEY KNEW WHY SHE WAS
16 HAVING PAIN. AND SO HE GAVE HER A PRESCRIPTION FOR JUST
17 20 NORCO AND SOMETHING TO STOP NAUSEA WHILE HE STARTED TO
18 TRY AND CONTACT THE OTHER DOCTOR TO SEE WHAT HAD BEEN DONE
19 IN THE PAST DURING HER INVESTIGATIONS.

20 Q SO HE HAD, ACCORDING TO THESE RECORDS,
21 INTENDED ON REACHING OUT TO A PRIMARY CARE PHYSICIAN?

22 A YES, THE DOCTORS WHO HAD PREVIOUSLY DONE
23 TESTS ON HER.

24 Q AND YOU'RE AWARE FROM A REVIEW OF THIS
25 RECORD THAT HE HAD SOME KNOWLEDGE OF TARA DE ROGATIS'
26 PSYCHIATRIC HISTORY?

27 A YES, AND INCLUDING NUMEROUS MEDICATIONS IN
28 THE PAST.

1 Q INDEED, DR. SPIEGEL DID NOT MAKE A DIAGNOSIS
2 OF FIBROMYALGIA; IS THAT CORRECT?

3 A NO, HE DIDN'T -- HE MADE NO DIAGNOSTIC
4 STATEMENT AT ALL IN HIS REPORT.

5 Q IN FACT, HE STATED THAT HE WAS NOT GOING TO
6 GIVE HER AN ONGOING COURSE OF TREATMENT WITH OPIATES AT
7 THIS POINT?

8 A THAT IS CORRECT.

9 Q IS THAT WITHIN THE STANDARD OF CARE?

10 A YES. HE PRACTICED ABSOLUTE STANDARD OF CARE
11 FOR TREATING PAIN, BUT I'M NOT QUALIFIED TO STATE THE
12 STANDARD OF CARE FOR PAIN MANAGEMENT. ONLY OTHER PAIN
13 MANAGEMENT DOCTORS CAN DO THAT.

14 MS. MC BROOM: NOTHING FURTHER, YOUR HONOR.

15 THE COURT: RECROSS?

16 MR. BLESSEY: YES, JUST BRIEFLY.

17
18 RECROSS-EXAMINATION

19 BY MR. BLESSEY:

20 Q SO, DOCTOR, DR. SPIEGEL, YOU BELIEVE,
21 PRACTICED WITHIN THE STANDARD OF CARE. ARE YOU AWARE THAT
22 HE WAS THE ONE WHO REFERRED THE PATIENT TO DR. RAMIN FOR
23 FURTHER TREATMENT? ARE YOU AWARE OF THAT?

24 A NO.

25 Q OKAY. IF YOU -- ACTUALLY, IF YOU LOOKED
26 CAREFULLY THROUGH HIS RECORDS -- IN THE INTEREST OF TIME,
27 LOOK AT PAGE -- I BELIEVE IT'S 11 -- ACTUALLY IT'S 10.

28 A IN THIS FILE?

1 Q YES, IT IS, SIR. CAN I HELP YOU FIND IT?

2 A I CAN GO TO 10. OOPS. IT'S A BIG FILE.

3 Q IT IS.

4 A ALL RIGHT. I NOW HAVE OPENED PAGE 10.

5 Q OKAY. AND DO YOU SEE BY THE DATE JANUARY
6 20TH, 2010, RESULTS TO DR. RAMIN?

7 A EXCUSE ME A MOMENT. I'M LOOKING -- PAGE 10
8 HERE IS -- IT'S NOT FOR THIS PHYSICIAN.

9 MR. BLESSEY: MAY I APPROACH, YOUR HONOR?

10 THE COURT: YES.

11 BY MR. BLESSEY:

12 Q I THINK THERE'S -- AND IT'S EASY BECAUSE
13 IT'S A BIG FILE, LIKE YOU SAID. SO, YEAH, YOU'RE IN --
14 IT'S EXHIBIT 102, SO WE'RE GOING TO FLIP THE PAGE.

15 A OH.

16 Q IT'S OKAY. YOU'RE KEEPING ME ON MY TOES
17 HERE.

18 A I CRUSHED MY KNEES FOR NOTHING.

19 Q OKAY. WE'RE ON PAGE 10 NOW?

20 A OKAY. I'VE GOT 102-10. I SEE IT NOW.
21 THANK YOU.

22 Q AND IS THERE A REFERENCE TO DR. RAMIN?

23 A IT SAYS, "FAX BLOOD TEST RESULTS TO
24 DR. RAMIN."

25 Q WHEN YOU SAW THAT NOTE, DID YOU ASK COUNSEL,
26 "YOU KNOW, GET ME THE RECORDS OF DR. RAMIN BECAUSE I DON'T
27 KNOW WHO HE IS"?

28 A NO, I DIDN'T ASK THAT QUESTION.

1 Q OKAY. YOU JUST TOLD THE JURY THAT YOU
2 THOUGHT DR. SPIEGEL, THOUGH, UNDER THESE CIRCUMSTANCES,
3 WAS PRACTICING WITHIN THE STANDARD OF CARE, CORRECT?

4 A AND I POINTED OUT, I'M NOT REALLY QUALIFIED
5 TO SAY THAT.

6 Q I UNDERSTAND. SO LET'S TAKE A LOOK AT SOME
7 OTHER RECORDS HERE IN DR. SPIEGEL'S. YEAH, LET'S NOT DROP
8 THAT ON YOUR KNEE AGAIN.

9 YOU RECALL, DO YOU NOT, THAT THE PATIENT
10 FILLED OUT A QUESTIONNAIRE IN DR. SPIEGEL'S OFFICE?

11 A YES.

12 Q TAKE A LOOK.

13 A YES.

14 Q WE'RE LOOKING TOGETHER NOW AT EXHIBIT 102-3,
15 CORRECT?

16 A YES.

17 Q AND UNDER "PSYCHIATRIC," THERE ARE THESE
18 CATEGORIES THAT ARE THERE, AND THERE'S A LITTLE CIRCLE
19 NEXT TO IT, CORRECT?

20 A YES.

21 Q AND SOME OF THESE CIRCLES ARE CHECKED,
22 RIGHT?

23 A YES.

24 Q AND WHERE IT SAYS, "SUICIDAL THOUGHTS," IS
25 THAT BOX CHECKED IN DR. SPIEGEL'S RECORDS?

26 A YES.

27 Q AND WHERE IT SAYS -- WHAT ELSE DOES IT SAY?
28 IT SAYS, "TROUBLE SLEEPING," IS CHECKED, RIGHT?

1 A YES.

2 Q "FEELING DEPRESSED"?

3 A YES.

4 Q WHAT DOES THIS SAY HERE?

5 A "INAPPROPRIATE CRYING."

6 Q OKAY.

7 A AND "INAPPROPRIATE LAUGHING."

8 Q SO YOU WOULD ASSUME THAT DR. SPIEGEL WAS
9 AWARE OF THESE PSYCHIATRIC ISSUES WHEN HE TREATED
10 MS. DE ROGATIS, TRUE?

11 A YES.

12 Q AND YOU FEEL IT WAS WITHIN THE STANDARD OF
13 CARE, KNOWING THAT PSYCHIATRIC HISTORY, TO TREAT THIS
14 PATIENT WITH AN OPIATE, NORCO, TRUE?

15 A I'M NOT QUALIFIED WITH STANDARD OF CARE FOR
16 A PAIN MANAGEMENT DOCTOR.

17 Q WELL, YOU JUST TOLD US A FEW MINUTES AGO --

18 A NO, I SAID, IF IT WAS -- IN TERMS OF
19 RHEUMATOLOGY, I HAVE NO PROBLEM WITH WHAT HE DID.

20 MR. BLESSEY: OKAY. THANK YOU VERY MUCH.

21 I HAVE NOTHING FURTHER, YOUR HONOR.

22 THE COURT: ALL RIGHT. ANYTHING ELSE?

23 MS. MC BROOM: JUST BRIEFLY.

24

25 FURTHER REDIRECT EXAMINATION

26 BY MS. MC BROOM:

27 Q TO YOUR KNOWLEDGE, DID DR. SPIEGEL REFER
28 TARA DE ROGATIS TO SEE DR. RAMIN? IS THAT WHAT THE

1 RECORDS STATE?

2 A IT DOESN'T SAY THAT. IT JUST SAID, "FAX THE
3 RESULTS TO HIM." IT DOESN'T SAY "REFER THE PATIENT," SO I
4 DON'T KNOW ABOUT THAT.

5 Q AND TO YOUR UNDERSTANDING, DID TARA
6 DE ROGATIS MAKE STATEMENTS TO DR. SPIEGEL ABOUT WANTING TO
7 DIE AND WANTING TO END HER LIFE?

8 A YES, YES.

9 Q TO DR. SPIEGEL?

10 A WELL, IT SAID -- NO, BUT IT SAID -- I DON'T
11 KNOW ABOUT THAT, BUT HE TICKED OFF AS POSITIVE "SUICIDAL
12 THOUGHTS."

13 Q ALL RIGHT. IN THE RECORDS, THERE'S NO
14 EVIDENCE THAT SHE WALKED INTO THE OFFICE AND STATED SHE
15 WANTED TO END HER LIFE?

16 A NO, I DIDN'T SEE THAT IN THE RECORD.

17 Q AND, AGAIN, DR. SPIEGEL'S RECORDS DO NOT
18 INCLUDE THE CEDARS E.R. RECORD FROM JUST TEN MONTHS AGO,
19 CORRECT?

20 A THAT IS CORRECT.

21 MS. MC BROOM: THANK YOU.

22 THE COURT: ANYTHING ELSE?

23 MR. BLESSEY: NO, YOUR HONOR.

24 THE COURT: ALL RIGHT. THANK YOU VERY MUCH,
25 DOCTOR.

26 THE WITNESS: THANK YOU, YOUR HONOR.

27 THE COURT: YOU'RE EXCUSED. THANK YOU FOR COMING
28 IN.

1 MS. MC BROOM: YOUR HONOR, WE WOULD MOVE TO ADMIT
2 THE ENTIRE RECORD, 102-1 TO 102-10.

3 THE COURT: DR. SPIEGEL'S RECORDS?

4 MR. BLESSEY: NO OBJECTION.

5 THE COURT: RECEIVED.

6
7 (RECEIVED INTO EVIDENCE, JOINT
8 EXHIBITS 102-1 TO 102-6.)
9

10 THE CLERK: DO YOU SOLEMNLY STATE THAT THE
11 TESTIMONY YOU MAY GIVE IN THE CAUSE NOW PENDING BEFORE
12 THIS COURT SHALL BE THE TRUTH, THE WHOLE TRUTH, AND
13 NOTHING BUT THE TRUTH, SO HELP YOU GOD?

14 THE WITNESS: I DO.

15 THE CLERK: PLEASE HAVE A SEAT ON THE WITNESS
16 STAND.

17 PLEASE STATE YOUR NAME AND SPELL YOUR NAME
18 FOR THE RECORD.

19 THE WITNESS: MY NAME IS RAFFI DJABOURIAN. THE
20 FIRST NAME IS R-A-F-F-I. THE LAST NAME IS
21 D-J-A-B-O-U-R-I-A-N.

22 THE COURT: WELCOME.

23 THE WITNESS: GOOD MORNING.

24 THE COURT: ARE YOU A DOCTOR?

25 THE WITNESS: YES, I AM.

26 THE COURT: OKAY. ARE YOU THE HEAD CORONER?

27 THE WITNESS: NO, I'M NOT.

28 THE COURT: OKAY. YOU'RE WITH THE COUNTY CORONER'S

1 OFFICE?

2 THE WITNESS: OH, YES. IN FACT, WE JUST GOT A --
3 WE JUST HAVE A NEW CHIEF --

4 THE COURT: THAT'S WHAT I THOUGHT.

5 THE WITNESS: -- A FEW WEEKS AGO.

6 THE COURT: OKAY. THANK YOU.

7 MS. MC BROOM: THANK YOU, YOUR HONOR. YOU HELPED
8 ME OUT.

9

10 RAFFI DJABOURIAN, M.D.,
11 CALLED AS A WITNESS BY THE PLAINTIFFS, WAS DULY SWORN AND
12 TESTIFIED AS FOLLOWS:

13

14 DIRECT EXAMINATION

15 BY MS. MC BROOM:

16 Q WHAT IS YOUR POSITION AT THE CORONER'S
17 OFFICE?

18 A I'M A SENIOR DEPUTY MEDICAL EXAMINER.

19 Q OKAY. AND WHAT ARE YOUR RESPONSIBILITIES AS
20 A SENIOR DEPUTY MEDICAL EXAMINER?

21 A IN ADDITION TO CONDUCTING THE AUTOPSIES IN
22 WHICH I DETERMINE CAUSE OF DEATH AND MANNER OF DEATH, I
23 ALSO ASSIGN CASES. IN THE MORNINGS ON CERTAIN DAYS, I DO
24 EVALUATIONS OF SOME OF THE OTHER DEPUTY MEDICAL EXAMINERS
25 AND OTHER TASKS AS WELL.

26 Q OKAY. ARE YOU A MEDICAL DOCTOR?

27 A YES, I AM.

28 Q CAN YOU JUST, FOR THOSE OF US WHO MAY NOT

1 KNOW, BRIEFLY TELL US WHAT AN AUTOPSY IS?

2 A SURE. IT'S AN EXAMINATION OF A HUMAN BEING
3 AFTER DEATH IN ORDER TO DETERMINE THE CAUSE OF DEATH AND
4 MANNER OF DEATH.

5 DIFFERENT PARTS OF THE AUTOPSY INCLUDE
6 EXTERNAL EXAMINATION, IN WHICH WE LOOK FOR CHARACTERISTIC
7 FEATURES ON THE BODY, IDENTIFYING FEATURES, DISEASE
8 PROCESSES, INJURIES.

9 THEN WE DO AN INTERNAL EXAMINATION WHERE
10 AGAIN WE LOOK FOR DISEASE PROCESSES, INJURIES, DESCRIBE
11 THE ORGANS, AND WE COLLECT ANY SPECIMENS THAT MAY BE
12 USEFUL IN ANY TYPE OF TESTING, EITHER TOXICOLOGY EXAM,
13 MICROSCOPIC EXAM, AND OTHER EXAMINATIONS.

14 Q OKAY. THANK YOU. HOW LONG HAVE YOU BEEN
15 EMPLOYED ABOUT THE COUNTY DEPARTMENT CORONER?

16 A ROUGHLY 15 YEARS.

17 Q NOW, WHEN YOU PERFORM AN AUTOPSY, DO YOU
18 DRAFT A REPORT REGARDING THE FINDINGS?

19 A YES.

20 Q OKAY. DO YOU TYPICALLY INCLUDE A TOXICOLOGY
21 ANALYSIS WITH AN AUTOPSY, OR DOES IT DEPEND ON THE
22 CIRCUMSTANCES?

23 A EXACTLY, IT DEPENDS ON THE CIRCUMSTANCES.

24 Q IN WHAT CASES WOULD YOU PERFORM SUCH AN
25 ANALYSIS?

26 A ON ALL CASES THAT ARE HOMICIDES, WE PERFORM
27 TOXICOLOGIC ANALYSIS; AND ON ALMOST ALL CASES THAT ARE
28 LIKELY DRUG OVERDOSES, WE'LL DO AN ANALYSIS. AND OTHER

1 CASES THAT MAY BE COMPLEX OR WHERE WE NEED TO RULE OUT
2 DRUG TOXICITY, WE MAY DO IT, EVEN THOUGH WE DON'T THINK
3 THERE MAY BE DRUGS ON BOARD.

4 Q NOW, DO YOU DO THE TOXICOLOGY ANALYSIS TO
5 DETERMINE THE PRESENCE OF DRUGS?

6 A YES, AS WELL AS ALCOHOL.

7 Q OKAY. ARE YOU ABLE TO DETERMINE THE
8 QUANTITY OF THE DRUG AND NOT, YOU KNOW -- AS OPPOSED TO
9 JUST THE PRESENCE OF THE DRUG?

10 A YES. WE QUANTIFY IT AS WELL.

11 Q OKAY. AND ARE THE FINDINGS FROM THE
12 TOXICOLOGY ANALYSIS ALSO TYPICALLY INCLUDED IN AN AUTOPSY
13 REPORT?

14 A YES, THAT'S PART OF THE REPORT. THE
15 TOXICOLOGY ANALYSIS IS DONE BY OUR LABORATORY DEPARTMENT,
16 BUT WE DO -- WHEN WE GET THE RESULTS, WE DO
17 INTERPRETATION. AND IF WE NEED SOME CONSULTATION WITH THE
18 TOXICOLOGIST, WE'LL REQUEST A CONSULTATION WITH THEM.

19 Q OKAY. I'M GOING TO HAVE YOU TURN TO
20 EXHIBIT 114. I'M GOING TO HELP YOU GET THAT IN FRONT OF
21 YOU.

22

23 (MARKED FOR IDENTIFICATION, JOINT
24 EXHIBITS 114-1 TO 114-26, AUTOPSY
25 REPORT.)

26

27 BY MS. MC BROOM:

28 Q CAN YOU IDENTIFY EXHIBIT 114?

1 A YES. THAT'S A COPY OF THE AUTOPSY REPORT.
2 IT'S AN ADULT FORM PROTOCOL. IT'S A HANDWRITTEN PROTOCOL
3 WHICH WE PLACE OUR FINDINGS ON, INCLUDING AN ANATOMIC
4 SUMMARY AS WELL AS AN OPINION.

5 Q OKAY. FOR WHOM WAS THIS AUTOPSY PERFORMED
6 ON?

7 A THE NAME OF THE DECEDENT WAS TARA
8 DE ROGATIS.

9 Q LOOKING AT THIS DOCUMENT, ARE YOU THE ONE
10 WHO PERFORMED THE AUTOPSY OF TARA DE ROGATIS?

11 A YES, AND I WAS ALSO SUPERVISING A PATHOLOGY
12 RESIDENT FROM ONE OF THE HOSPITALS.

13 Q IS THIS YOUR REPORT? DID YOU DRAFT THIS
14 REPORT FOLLOWING THE AUTOPSY?

15 A YES.

16 MS. MC BROOM: YOUR HONOR, I MOVE TO ADMIT
17 EXHIBIT 114.

18 THE COURT: ANY OBJECTION?

19 MR. BLESSEY: NO, YOUR HONOR.

20 THE COURT: RECEIVED.

21

22 (RECEIVED INTO EVIDENCE, JOINT
23 EXHIBITS 114-1 TO 114-26.)

24

25 THE CLERK: HOW MANY PAGES?

26 THE COURT: GOSH, WHAT IS IT? 31 PAGES.

27 MR. NEWHOUSE: 31 PAGES.

28 MS. MC BROOM: WE'VE ALREADY ADMITTED PART OF IT.

1 THE COURT: WELL, ANYHOW, IT STARTS AT
2 EXHIBIT 114-1 THROUGH 114-31, SO --

3 BY MS. MC BROOM:

4 Q ON WHAT DAY DID YOU PERFORM THE AUTOPSY OF
5 TARA DE ROGATIS?

6 A IT WAS ON MARCH 25TH, 2010.

7 Q OKAY. AND ARE YOU AWARE OF HER DATE OF
8 DEATH?

9 A I BELIEVE IT WAS MARCH 23RD, 2010.

10 Q SO APPROXIMATELY TWO DAYS LATER?

11 A YES.

12 Q NOW, AS PART OF YOUR AUTOPSY, YOU EXAMINED
13 EACH OF TARA DE ROGATIS' ORGANS?

14 A YES.

15 Q OKAY. DID YOU DISCOVER ANYTHING IN TARA
16 DE ROGATIS' STOMACH IN YOUR EXAM?

17 A YES, WE DID.

18 Q AND WHAT DID YOU DISCOVER?

19 A WE SAW THAT THERE WAS A SUBSTANTIAL AMOUNT
20 OF PASTY CONTENTS, WHICH WOULD BE TYPICAL OF RESIDUAL
21 MEDICATIONS.

22 Q OKAY. SO, IN OTHER WORDS, DID YOU TAKE THAT
23 TO BE PILLS OR MEDICATION THAT HAD NOT YET BEEN ABSORBED?

24 A YES, THEY WERE PARTIALLY DIGESTED AND NOT
25 YET ABSORBED.

26 Q DID YOU FIND ANY INTACT PILLS IN TARA'S
27 STOMACH?

28 A I DON'T BELIEVE WE FOUND ANY INTACT PILLS.

1 Q DID YOU CONDUCT A TOXICOLOGICAL ANALYSIS OF
2 THAT PASTY FLUID THAT WAS DISCOVERED IN HER STOMACH?

3 A I WOULD HAVE TO REFER TO MY REPORT, IF I
4 MAY.

5 Q YOU MAY.

6 THE WITNESS: YOUR HONOR?

7 THE COURT: YES.

8 THE WITNESS: I'M REFERRING TO THE FORENSIC SCIENCE
9 LABORATORIES, LABORATORY ANALYSIS SUMMARY REPORT. IT'S
10 TWO PAGES, AND SO THE LABORATORY --

11 THE COURT: WHAT PAGES?

12 THE WITNESS: OH, IT'S NOT A PAGE NUMBER. IT'S
13 ACTUALLY THE LABORATORY REPORT -- WE -- WE PHRASE IT "THE
14 TOXICOLOGY REPORT."

15 MS. MC BROOM: I'LL GET THE PAGE. EXCUSE ME.

16 BY MS. MC BROOM:

17 Q IS THIS WHAT YOU'RE LOOKING AT?

18 A YES.

19 MS. MC BROOM: IT'S PAGE -- YOUR HONOR, IT'S PAGE
20 114-25.

21 THE COURT: THANK YOU.

22 THE WITNESS: SO THE LABORATORY DETERMINES WHAT
23 TESTING TO DO. IF THEY HAVE ANY QUESTIONS, THEY MAY ASK
24 US CERTAIN QUESTIONS, IF WE WANT OTHER THINGS TESTED, BUT
25 MOST OF THE TIME THEY DON'T ASK US.

26 A STOMACH CONTENT ANALYSIS WAS NOT DONE.

27 BY MS. MC BROOM:

28 Q OKAY. DID YOU ALSO TAKE SPECIMENS FROM THE

1 LIVER?

2 A AGAIN, I'LL REVIEW -- I'LL REVIEW THE
3 REPORT. WE -- TYPICALLY, IN A CASE LIKE THIS, WE
4 DEFINITELY WOULD TAKE THE LIVER, BUT I'LL CONFIRM EXACTLY
5 WHAT SPECIMENS WERE TAKEN.

6 Q SURE.

7 A I'LL REFER TO THE EXHIBIT SINCE IT HAS
8 NUMBERS. I'M REFERRING TO PAGE 114-15.

9 Q THANK YOU.

10 A ON THE BOTTOM OF THE PAGE, IT SAYS,
11 "TOXICOLOGY. THE SPECIMENS RECOVERED INCLUDED LIVER
12 TISSUE."

13 Q AND DID YOU CONDUCT ANY TOXICOLOGICAL
14 TESTING ON THAT SPECIMEN?

15 A AGAIN, I'M REFERRING TO THE TOXICOLOGY
16 REPORT. NO, NO TESTING WAS DONE ON THE LIVER EITHER.

17 Q DID YOU DISCOVER ANY ABNORMALITIES IN TARA'S
18 HEART OR LUNGS DURING THE AUTOPSY?

19 A NO.

20 Q AND WERE YOU ABLE TO ESTIMATE THE TIME OF
21 DEATH OR A TIME FRAME?

22 A YES. IN FACT, I DID IT ON ONE OF THE FORMS.
23 FROM THE TIME -- THE WAY THAT WE CAN GIVE AN ESTIMATE
24 DEPENDS A LOT ON OUR CORONER INVESTIGATOR. THEY GO TO THE
25 SCENE, AND THEY TAKE CERTAIN MEASUREMENTS ON THE BODY OR
26 MAKE CERTAIN OBSERVATIONS; BECAUSE BY THE TIME THE BODY
27 GETS TO AUTOPSY, IT'S BEEN REFRIGERATED, AND THERE'S A LOT
28 OF OTHER FACTORS, SO WHAT WE SEE IS NOT AS USEFUL.

1 HOWEVER, CERTAIN THINGS CAN BE TAKEN BY THE
2 INVESTIGATOR. ONE OF THOSE THINGS INCLUDES WHAT'S CALLED
3 A LIVER TEMPERATURE, AND THAT CAN BE HELPFUL. YOU CAN
4 LOOK AT THE -- HOW THE BLOOD SETTLES ON THE BODY.
5 SOMETIMES THAT CAN BE HELPFUL.

6 AND YOU CAN LOOK AT THE STIFFNESS OF THE
7 BODY, WHETHER, WE CALL IT, RIGOR MORTIS, IF THAT HAS SET
8 IN. THOSE ARE ALL FACTORS YOU CAN USE TO MAKE AN
9 ESTIMATE. YOU CAN NEVER BE EXACT.

10 Q OKAY. AND I'M SHOWING YOU WHAT'S MARKED
11 EXHIBIT 114-19, 114-19.

12 IS THIS THE PAGE WHERE YOU PUT YOUR FINDINGS
13 REGARDING THE TIME OF DEATH?

14 A YES.

15 Q AND IT LOOKS LIKE YOU CONCLUDED IT WAS
16 MOST -- SOMETIME BETWEEN 3:00 A.M. AND 6:00 A.M.?

17 A THAT'S CORRECT.

18 Q WAS A TOXICOLOGY ANALYSIS DONE IN THIS CASE
19 FOR TARA DE ROGATIS?

20 A YES.

21 Q DID YOU CONDUCT THAT ANALYSIS?

22 A NO. THE LABORATORY CONDUCTS IT. WE
23 INTERPRET THE REPORT THAT THEY PROVIDE US.

24 Q AND DID YOU REVIEW THAT REPORT?

25 A YES.

26 Q AND DID YOU USE THAT REPORT IN DETERMINING
27 THE CAUSE OF DEATH?

28 A YES.

1 Q I'M SHOWING YOU -- I'D LIKE YOU TO TURN TO
2 114-20. IT IS A DOCUMENT, IT SAYS, "MISCELLANEOUS
3 WORKSHEET TOXICOLOGY REVIEW." I JUST WANT TO WALK THROUGH
4 THIS WITH YOU.

5 THERE'S DIFFERENT COLUMNS. THIS COLUMN IS
6 LABELED, "DRUGS." ARE THESE THE DRUGS THAT WERE DETECTED
7 IN TARA'S BLOOD?

8 A YES.

9 Q OKAY. AND OVER HERE IT SAYS, "LEVELS." IT
10 SAYS, "FEM," AND THEN, "HEART." CAN YOU EXPLAIN WHAT THAT
11 MEANS?

12 A YES. WHEN WE TAKE BLOOD SPECIMENS FROM A
13 PERSON WHO HAS DIED, WE TAKE THEM FROM TWO SEPARATE
14 LOCATIONS. ONE OF THEM IS CALLED THE CENTRAL BLOOD
15 SPECIMEN, AND TYPICALLY WOULD BE THE HEART. IT CAN BE A
16 LARGE VESSEL, AS WELL, BUT TYPICALLY IT'S JUST FROM THE
17 HEART.

18 WE ALSO TAKE A PERIPHERAL SPECIMEN, AND IN
19 THAT CASE IT'S USUALLY FROM ONE OF THE -- A LEG VEIN OR
20 ONE OF THE VEINS IN THE GROIN AREA, AND SO WE CALL THAT
21 FEMORAL BLOOD. F-E-M MEANS FEMORAL IN THAT CASE.

22 Q WHY DO YOU DO THAT? WHY DO YOU TAKE SAMPLES
23 FROM TWO DIFFERENT PLACES?

24 A AFTER DEATH WHAT CAN HAPPEN IN THE CENTRAL
25 BLOOD, THE HEART BLOOD, IS THAT THERE CAN BE A
26 REDISTRIBUTION. WHEN YOU -- IN GENERAL, IN YOUR BODY,
27 MEDICATIONS AREN'T ONLY IN YOUR BLOOD. THEY DISTRIBUTE TO
28 ALL OF YOUR TISSUES AS WELL. AND SO WHEN YOU DIE, YOU

1 HAVE DRUGS AND OTHER TISSUES, ORGANS, INCLUDING LUNGS, AS
2 WELL AS GASTROINTESTINAL ORGANS, AS WELL, ALL THOSE CAN
3 DIFFUSE INTO THE CENTRAL BLOOD SPECIMEN, SO IT CAN GIVE A
4 READING THAT'S ACTUALLY QUITE HIGHER THAN IT MIGHT HAVE
5 BEEN, THE TRUE LEVEL IN THEIR SYSTEM WHEN THEY DIE.

6 SO THE FEMORAL BLOOD TENDS TO BE A LITTLE
7 BIT -- WE CONSIDER IT A LITTLE BIT MORE ACCURATE BECAUSE
8 IT DOESN'T HAVE SO MUCH OF A REDISTRIBUTION FACTOR.

9 Q OKAY. SO IF YOU'RE DOING A TOXICOLOGY
10 ANALYSIS ON THE BLOOD, YOU PREFER TO HAVE BLOOD TAKEN FROM
11 THE FEMORAL VEIN? DO YOU BELIEVE THAT TO BE MORE ACCURATE
12 THAN THE BLOOD THAT WOULD BE -- IN TERMS OF THE QUANTITY
13 OF DRUGS, THAN BLOOD TAKEN FROM THE HEART?

14 A YES, FOR THE MOST PART. SOMETIMES THEY CAN
15 BE SIMILAR, THE LEVELS, AND SOMETIMES THEY CAN BE QUITE --
16 QUITE DIFFERENT. WE TEND TO GO WITH THE FEMORAL LEVEL.

17 Q IN THIS CASE, THEY APPEAR TO BE QUITE
18 DIFFERENT; WOULD YOU AGREE?

19 A YES, IN MOST OF THEM, THERE ARE THOSE
20 DISCREPANCIES IN LEVELS, WHICH WOULD BE EXPECTED.

21 Q OKAY. SO LET'S TAKE THE OXYCODONE, THE
22 FIRST ONE.

23 IS OXYCODONE THE SAME THING AS PERCOCET?

24 A OXYCODONE IS A DRUG IN PERCOCET. I
25 BELIEVE -- NOW, OBVIOUSLY, BECAUSE OF MY OCCUPATION, I
26 DON'T PRESCRIBE DRUGS. I DON'T NEED TO.

27 Q OKAY.

28 A BUT --

1 MR. NEWHOUSE: IT'S A LITTLE LATE.

2 THE WITNESS: -- PERCOCET, FROM MY UNDERSTANDING,
3 INCLUDES OXYCODONE AND ACETAMINOPHEN.

4 BY MS. MC BROOM:

5 Q OKAY. OKAY. SO YOU WROTE "FEM," THAT MEANS
6 A SAMPLE TAKEN FROM THE FEMORAL VEIN.

7 AND THEN WHAT DOES THIS SAY RIGHT HERE?

8 A IT'S AN INDICATION OF THE UNITS, WHICH IS
9 MICROGRAM PER MILLILITER.

10 Q OKAY. SO TOXICOLOGIST DETECTED 5.5
11 MICROGRAMS PER MILLILITER OF OXYCODONE FROM A BLOOD SAMPLE
12 TAKEN FROM THE FEMORAL VEIN. IS THAT WHAT THAT
13 REPRESENTS?

14 A YES.

15 Q OKAY. OVER HERE, IT SAYS, "PRESUMPTIVE
16 EFFECT, LETHAL, GREATER THAN 5 MICROGRAMS PER MILLILITER."

17 CAN YOU TELL US, IS THAT -- CAN YOU TELL US
18 WHAT THAT IS. TELL US WHAT THAT MEANS.

19 A OKAY. FIRST OF ALL, EVERYTHING WRITTEN ON
20 THIS PAGE IS MY HANDWRITING, SO I USE THIS FORM AS A
21 REVIEW BECAUSE IT'S NOT -- IF IT'S JUST A SINGLE
22 MEDICATION, I USUALLY DON'T USE THIS FORM, BUT WHEN
23 THERE'S SEVERAL OF THEM AND THERE CAN BE DIFFERENT
24 EFFECTS, I LIKE TO HAVE SOMETHING THAT KIND OF SUMMARIZES
25 IT.

26 SO WHAT I DID IN THIS CASE, BESIDES PUTTING
27 THE DRUG AND THE LEVELS, I PUT THE PRESUMPTIVE EFFECT,
28 WHETHER IT'S LETHAL OR TOXIC OR NORMAL THERAPEUTIC OR LOW

1 LEVEL, WHATEVER IT MAY BE. I ALSO MADE A SEPARATE COLUMN
2 FOR, IN THE GENERAL LITERATURE, WHAT'S CONSIDERED LETHAL
3 LEVELS AS WELL OR, IN SOME CASES, TOXIC LEVELS.

4 Q OKAY. SO FOR THE NUMBER -- IT LOOKS LIKE
5 ALL THE WAY DOWN, YOU'VE WRITTEN, YOU KNOW, THE LETHAL OR,
6 YOU KNOW, TOXIC LEVELS OF CERTAIN MEDICATIONS.

7 WHERE IS THIS DATA FROM?

8 A THERE'S DIFFERENT SOURCES. A COMMON SOURCE
9 THAT WE USE IN OUR OFFICE, IT'S A BOOK CALLED BASELT,
10 B-A-S-E-L-T. THERE'S ALSO A CHART, NUMEROUS PAGES, IT'S
11 CALLED WINEK, W-I-N-E-K. SO THOSE ARE AUTHORS THAT HAVE
12 LOOKED AT A LOT OF LITERATURE, LOOKED AT A LOT OF
13 MEDICATIONS AND SEEN WHAT -- I GUESS IN THE MEDICAL
14 COMMUNITY, WHAT'S CONSIDERED A LOW OR NORMAL OR
15 THERAPEUTIC LEVEL, WHAT'S CONSIDERED A TOXIC LEVEL, AND
16 THEN WHAT'S CONSIDERED A LETHAL LEVEL.

17 Q ARE THERE INSTANCES IN THOSE TWO SOURCES
18 WHERE IT MAY NOT STATE THE TOXIC OR LETHAL LEVEL FOR A
19 CERTAIN MEDICATION?

20 MR. BLESSEY: YOUR HONOR, I'M SORRY, REFERRING TO
21 MEDICAL LITERATURE.

22 THE COURT: SUSTAINED.

23 BY MS. MC BROOM:

24 Q TELL US WHAT YOU DO IF YOU'RE NOT ABLE TO --
25 IF YOU'RE NOT ABLE TO PINPOINT OR FIND FROM AN OUTSIDE
26 SOURCE WHAT THE LETHAL LEVEL OR TOXIC LEVEL OF A
27 MEDICATION IS.

28 A WELL, IN THAT CASE, WE WILL PROBABLY DISCUSS

1 WITH OUR LABORATORY DEPARTMENT OR A TOXICOLOGIST IF THEY
2 HAVE ANY -- ANY INFORMATION. THERE MAY BE -- THAT CERTAIN
3 INSTANCE MAY BE BECAUSE IT'S JUST A VERY SAFE DRUG AND
4 IT'S NOT KNOWN TO HAVE A SIGNIFICANT TOXICITY OR MAYBE A
5 VERY NEW DRUG THAT THAT DATA JUST ISN'T THERE YET. YOU
6 KNOW, THAT'S WHY -- THAT'S WHY THERE'S PEER-REVIEWED
7 JOURNALS AS WELL, SO PEOPLE CAN SUBMIT -- AS A DRUG,
8 PEOPLE GET MORE EXPERIENCE WITH A DRUG, THEY CAN SUBMIT
9 INFORMATION.

10 BUT I JUST GO -- I TRY TO SEE THROUGH OUR
11 TOXICOLOGIST IF THERE'S ANY SOURCES OF ANY NEW INFORMATION
12 THAT MAY HELP. I MEAN, IF WE JUST DON'T HAVE THE
13 INFORMATION, IT'S HARD TO ASCRIBE A CAUSE OF DEATH TO IT.
14 IF, FOR EXAMPLE, SOMEBODY IS COMPLETELY HEALTHY AND HAS
15 THIS VERY NEW DRUG IN THEIR SYSTEM AND THERE'S NO LEVELS
16 IN THE LITERATURE, WE MIGHT JUST SAY THE DEATH IS
17 UNDETERMINED. IT MAY OR MAY NOT BE DRUG RELATED.

18 Q OKAY. JUST LOOKING AT THE OXYCODONE, IT
19 LOOKS LIKE YOU'VE LISTED TWO MEDICATIONS HERE AS LETHAL,
20 ONE BEING OXYCODONE AND ONE BEING TRAMADOL, IS THAT RIGHT,
21 AT LETHAL LEVELS?

22 A THAT'S CORRECT.

23 Q OKAY. HOW MANY OVER -- EXCUSE ME --
24 OVERDOSE DEATHS PER YEAR DO YOU SEE WITH OXYCODONE?

25 A I CAN'T -- I CAN'T PUT AN EXACT NUMBER ON
26 IT, BUT JUST FOR MYSELF, I MAY SEE MAYBE FIVE TO TEN.

27 Q DO YOU HAVE ANY IDEA OF WHAT -- YOU KNOW,
28 THE NUMBER FOR THE ENTIRE DEPARTMENT? WOULD YOU HAVE ANY

1 WAY OF KNOWING THAT?

2 A I CAN'T GIVE ANYTHING THAT'S ACCURATE.

3 Q I UNDERSTAND.

4 LET'S TAKE A LOOK AT -- IN THOSE CASES WHERE
5 THERE HAVE BEEN OXYCODONE OVERDOSE -- LET ME PUT IT THIS
6 WAY. STRIKE THAT.

7 A 5.5-MICROGRAM-PER-MILLILITER QUANTITATIVE
8 ANALYSIS WAS DONE OR -- STRIKE THAT.

9 PURSUANT TO THE QUANTITATIVE ANALYSIS, IT
10 WAS DISCOVERED THAT THERE'S 5.5 MILLIGRAMS -- MICROGRAMS
11 PER MILLILITER OF OXYCODONE IN TARA DE ROGATIS' BLOOD.

12 IN YOUR EXPERIENCE, IS THAT A PARTICULARLY
13 HIGH NUMBER?

14 A YES.

15 Q WHAT DO YOU TYPICALLY SEE? WHAT NUMBERS DO
16 YOU TYPICALLY SEE IN OVERDOSE DEATHS --

17 MR. BLESSEY: I'M NOT SURE --

18 BY MS. MC BROOM:

19 Q -- OF OXYCODONE?

20 MR. BLESSEY: I'M NOT SURE IT'S RELEVANT, YOUR
21 HONOR. I OBJECT.

22 THE COURT: OVERRULED.

23 THE WITNESS: WE TYPICALLY SEE SOMETHING LIKE A
24 POINT SOMETHING, SO A POINT -- IF IT'S EXTREMELY LOW, IT
25 MIGHT JUST BE LIKE 0.02, OR IT COULD BE, LIKE, IF IT'S
26 HIGHER, 0.5 OR SOMETHING LIKE THAT.

27 WE MAY SEE ON OCCASION SOMETHING IN THE 1 OR
28 2, 1 OR 2 MICROGRAM RANGE.

1 BY MS. MC BROOM:

2 Q SO WOULD YOU DESCRIBE THIS QUANTITY AS
3 PARTICULARLY HIGH GIVEN WHAT YOU'VE SEEN?

4 A YES.

5 Q NOW, YOU'VE ALSO MENTIONED TRAMADOL HERE.
6 HOW MANY AUTOPSIES HAVE YOU PERFORMED WHERE THERE'S --
7 OVERDOSE OF TRAMADOL HAS BEEN THE CAUSE OF DEATH?

8 A EITHER ALONE OR IN COMBINATION WITH OTHER
9 DRUGS?

10 Q ALONE.

11 A ALONE, I CAN'T REMEMBER A LOT. I MAY HAVE
12 HAD ONE, ONE OR TWO WHERE IT'S JUST ALONE.

13 Q AND SO THEN HOW DID YOU DETERMINE THE LETHAL
14 LEVEL OF TRAMADOL TO BE OVER 13 MICROGRAMS PER MILLILITER?

15 A AGAIN, IN THE LITERATURE, I GOT IT FROM
16 VARIOUS SOURCES OF LITERATURE THAT WE USE.

17 Q PRIOR TO TARA'S -- WELL, HOW MANY INSTANCES
18 HAVE YOU SEEN TRAMADOL IN COMBINATION WITH OTHER
19 MEDICATIONS IN AN OVERDOSE CASE?

20 A IT MAY BE A LITTLE BIT MORE -- A LITTLE MORE
21 COMMON WHEN IT'S IN COMBINATION WITH OTHER MEDICATIONS,
22 BUT I CAN'T -- AGAIN, I CAN'T REMEMBER AN EXACT NUMBER.
23 IT'S NOT SOMETHING, I MEAN, I CAN SAY I SEE EVERY MONTH.
24 I MAY SEE IT A FEW TIMES A YEAR.

25 Q SO IS IT YOUR EXPERIENCE THAT TRAMADOL
26 DEATHS FROM AN OVERDOSE ARE NOT NEARLY AS COMMON AS WITH
27 OXYCODONE?

28 A I'D SAY IN MY EXPERIENCE, I KNOW I'VE HAD

1 MORE OXYCODONE DEATHS THAN TRAMADOL.

2 Q I WANT TO JUST LASTLY HIT THIS. IT SAYS,
3 "ESTIMATED NUMBER OF PILLS BASED ON LOWEST" -- CAN YOU
4 TELL ME WHAT THAT SAYS THERE?

5 A YEAH. IT SAYS, "V.D.," AND I'M REFERRING TO
6 THIS ONE, AND THE LOWEST CONCENTRATION.

7 Q WHAT DOES V.D. MEAN?

8 A V.D. IS AN ABBREVIATION, STANDS FOR VOLUME
9 OF DISTRIBUTION. IT'S THE TOTAL VOLUME IN THE BODY WHERE
10 THE DRUG DISTRIBUTES INTO.

11 Q IN CASES LIKE THIS, DO YOU TYPICALLY TRY TO
12 DETERMINE OR -- THE NUMBER OF PILLS THAT SOMEBODY HAS
13 INGESTED? IS THIS SOMETHING YOU TYPICALLY DO?

14 A WE MAY DO IT IN CERTAIN CASES. WE DON'T DO
15 IT A LOT. THE PROBLEM WITH IT, IT'S -- ESSENTIALLY, IT'S
16 A GUESSTIMATE. YOU'RE TAKING CERTAIN SCIENTIFIC FACTORS
17 LIKE BODY WEIGHT, VOLUME OF DISTRIBUTION, CONCENTRATION,
18 AND THEN TRYING TO GO BACK, AND YOU'RE ALSO MAKING CERTAIN
19 ASSUMPTIONS ABOUT THE SIZE OF THE PILL THE PERSON TOOK,
20 WHETHER IT WAS, FOR EXAMPLE, A 10-MILLIGRAM, 25-MILLIGRAM
21 TABLET, SO THIS IS JUST AN ESTIMATE BASED ON THE
22 CONCENTRATION THAT WE'RE FINDING.

23 Q AND IS IT AN ESTIMATE -- IS IT AN
24 ESTIMATE -- IT SAYS DOWN HERE, "ESTIMATED PILL NUMBERS ARE
25 THE MINIMUM NUMBER BASED ON LOWEST VOLUME TO DISTRIBUTION
26 AND THE FEMORAL CONCENTRATIONS."

27 A YES.

28 Q SO DOES THAT -- DOES THAT MEAN THAT THE

1 ESTIMATES THAT YOU'VE WRITTEN UP HERE ARE ESTIMATES OF THE
2 MINIMUM NUMBER OF PILLS THAT WOULD BE REQUIRED TO REACH
3 THE CONCENTRATION DISCOVERED?

4 A THAT'S CORRECT. THE VOLUME OF DISTRIBUTION
5 OFTENTIMES IS GIVEN AS A RANGE, SO I'LL TAKE THE LOWEST
6 ONE, YOU KNOW, THAT WILL GIVE ME THE MINIMUM NUMBER, AND,
7 AGAIN, I'LL ALSO USE THE FEMORAL LEVEL AS OPPOSED TO THE
8 HEART LEVEL.

9 Q SO DOES THAT IN TURN MEAN THAT THE NUMBER OF
10 PILLS ACTUALLY INGESTED COULD BE MUCH HIGHER THAN IS
11 STATED IN THIS DOCUMENT?

12 A IT COULD BE. IT COULD BE MORE THAN THAT.
13 NOW, AGAIN, THIS IS A GUESSTIMATE. IT MAY BE A LITTLE BIT
14 LOWER THAN THAT, BUT, LIKE, FOR EXAMPLE, THE ONE THAT SAYS
15 46, I CAN'T IMAGINE THAT THE ACTUAL WOULD BE 5 OR 6. IT
16 WOULD BE IN THE DOUBLE DIGITS SOMEWHERE.

17 MS. MC BROOM: NOTHING FURTHER, YOUR HONOR.

18 THE COURT: ALL RIGHT. CROSS?

19 MR. BLESSEY: YES, THANK YOU, YOUR HONOR.

20

21 CROSS-EXAMINATION

22 BY MR. BLESSEY:

23 Q GOOD MORNING, DOCTOR.

24 A GOOD MORNING.

25 Q I'M GOING TO SWITCH OVER HERE TO ANOTHER
26 SOURCE.

27 SO, SO I UNDERSTAND IT, WHAT YOU BASICALLY
28 DID IN THIS CASE WAS YOU TOOK YOUR PHYSICAL AUTOPSY

1 FINDINGS, TOXICOLOGY INFORMATION YOU RECEIVED AND PUT
2 TOGETHER YOUR ANALYSIS OF WHAT YOU BELIEVE MOST LIKELY
3 CAUSED THE DEATH IN THIS CASE; FAIR TO SAY?

4 A YES.

5 Q ANYTHING ELSE IN YOUR DATABASE OTHER THAN
6 YOUR PHYSICAL AUTOPSY AND THE ANALYSIS THAT YOU JUST WENT
7 THROUGH WITH COUNSEL, THAT IS, THE TOXICOLOGY FINDINGS
8 FROM THE LAB? DID YOU INCLUDE ANYTHING ELSE?

9 A AGAIN, THE -- THE TOXICOLOGY DEPARTMENT AT
10 OUR OFFICE, THEY DO HAVE ALSO SOME INFORMATION OF THEIR
11 OWN, SO WE MAY OR MAY NOT USE THAT AS WELL IN A -- BESIDES
12 THE OTHER REFERENCES I MENTIONED, WINEK AND BASELT.

13 Q YEAH. NOW, LET'S TAKE A LOOK, THIS IS
14 EXHIBIT 114-1, THE FIRST PAGE OF YOUR REPORT, AND ON THIS
15 REPORT YOU MENTION THAT THE CAUSE OF DEATH IS WHAT, SIR?

16 A "MULTIPLE DRUG INTOXICATION."

17 Q OKAY. DID YOU TRY TO FERRET OUT OR SEPARATE
18 OUT THE VARIOUS DRUGS TO DETERMINE IF THEY WERE TAKEN BY
19 THEMSELVES, WHETHER OR NOT THEY WOULD HAVE CAUSED THE
20 DEATH?

21 A I DIDN'T DO THAT, EXCEPT TO THE EXTENT IN
22 THE PREVIOUS -- MY TOXICOLOGY REVIEW, I DID SEPARATE WHAT
23 I THOUGHT WAS -- WOULD HAVE BEEN LETHAL IN AND OF ITSELF,
24 IF THAT WAS THE ONLY THING THAT HAD BEEN FOUND. BUT,
25 ESSENTIALLY, IT'S CLEAR THAT THIS IS A -- IT'S A
26 COMBINATION, IT'S A COMBINATION, THAT IT'S ALL THESE
27 MEDICINES ACTING TOGETHER.

28 Q OKAY. WELL, LET'S TAKE A LOOK NOW AT

1 EXHIBIT 114-20. THIS IS THE REPORT WE JUST HAD UP THERE.

2 SO, I MEAN, AT THE RISK OF ASKING THE
3 OBVIOUS, LETHAL LEVEL MEANS A LEVEL OF A DRUG THAT WILL
4 END SOMEBODY'S LIFE, CORRECT?

5 A YES.

6 Q SO WHEN YOU LOOK AT TRAMADOL, YOU FOUND IN
7 THE FEMORAL SAMPLE A LETHAL LEVEL OF TRAMADOL, CORRECT?

8 A YES.

9 Q AND HAD THE PATIENT ONLY TAKEN TRAMADOL,
10 BASED ON THIS LETHAL LEVEL, IT WOULD HAVE -- THAT LEVEL
11 WOULD HAVE ENDED HER LIFE, CORRECT?

12 A YES.

13 Q OKAY. NOW, YOU HAVE -- LET'S SEE. YOU HAVE
14 ZOLPIDEM. DO YOU KNOW WHAT THAT DRUG IS, WHAT THE GENERIC
15 NAME IS FOR ZOLPIDEM?

16 A ZOLPIDEM? AMBIEN.

17 Q AMBIEN.

18 A THAT'S ONE OF THE -- ONE OF THE TRADE NAMES.
19 THERE CAN BE SEVERAL.

20 Q OKAY. I'LL REPRESENT TO YOU THAT THERE'S
21 EVIDENCE IN THIS CASE THE PATIENT HAD BEEN PRESCRIBED
22 AMBIEN BY HER PSYCHIATRIST.

23 DOES THAT SOUND REASONABLE BASED ON WHAT YOU
24 FOUND HERE?

25 A YES, WE FOUND ZOLPIDEM IN HER SYSTEM.

26 Q SO YOU FOUND TOXIC TO LETHAL LEVELS OF
27 AMBIEN IN HER FEMORAL SAMPLE, CORRECT?

28 A YES.

1 Q SO BASED ON THE REFERENCE SOURCE THAT YOU
2 USED, IF, IN FACT, THE AMBIEN WAS AT A LETHAL LEVEL, THAT
3 BY ITSELF COULD HAVE ENDED HER LIFE, CORRECT?

4 A YES.

5 Q ALL RIGHT. AND LET'S SEE. NOW, WHEN YOU
6 SAY "TOXIC LEVELS," CAN YOU DEFINE FOR THE JURY WHAT YOU
7 MEAN BY THAT?

8 A YES. IT'S SOMETHING THAT CAN CAUSE
9 SIGNIFICANT TOXICITY, ESSENTIALLY. IT MEANS THAT IT CAN
10 HAVE VERY SERIOUS SIDE EFFECTS, SERIOUS EFFECTS ON
11 DIFFERENT ORGAN SYSTEMS, THE HEART, BREATHING, BRAIN, AND
12 IT CAN POTENTIALLY CAUSE SOMEBODY TO DIE. BUT BECAUSE
13 SOMETIMES WE JUST GET SOMETHING THAT'S IN THE TOXIC LEVEL
14 AND THAT'S ALL WE HAVE AND WE HAVE NO OTHER CAUSE OF
15 DEATH, SO WE'LL USE IT AS A CAUSE OF DEATH.

16 Q SO I UNDERSTAND YOU, IF YOU HAVE A DRUG, FOR
17 EXAMPLE, LIKE AMBIEN, ALTHOUGH IT'S TOXIC TO LETHAL, BUT
18 IF YOU HAVE A DRUG THAT'S JUST TOXIC AND YOU HAVE A DEATH,
19 THERE ARE INSTANCES WHERE YOU WILL ASCRIBE THE CAUSE OF
20 DEATH TO THE TOXIC LEVEL OF THAT DRUG, CORRECT?

21 A THAT'S CORRECT. IT WOULD HAVE TO BE -- I
22 MEAN, IT WOULD HAVE TO BE IN THE DECENT RANGE OF TOXICITY.
23 IF IT'S BORDERLINE BETWEEN THERAPEUTIC AND TOXIC, YOU
24 KNOW, WE MAY, YOU KNOW, WE'LL HEDGE ON THAT ONE POSSIBLY.

25 Q OKAY. BUT IN THIS CASE YOU DIDN'T PUT THAT
26 RANGE OF THERAPEUTIC TO TOXIC. YOU'VE GOT -- WHAT'S THIS
27 DRUG CALLED?

28 A ZOPICLONE.

1 Q AND WHAT DID YOU ASSESS IT TO BE IN TERMS OF
2 ITS LEVEL?

3 A ELEVATED TO TOXIC. THE FEMORAL, I BELIEVE,
4 WAS ZERO POINT -- 0.21. IN THAT CASE, IT WOULD HAVE JUST
5 BEEN ELEVATED. THE HEART LEVEL WOULD HAVE BEEN CONSIDERED
6 TOXIC.

7 Q OKAY. AND WHAT'S THE TRADE NAME FOR THAT
8 MEDICATION? DO YOU KNOW?

9 A ZOPICLONE, THAT'S ONE WE DON'T -- WE DON'T
10 SEE THAT OFTEN, SO I DON'T -- I DON'T HAVE A TRADE NAME
11 FOR THAT ONE.

12 Q HAVE YOU EVER HEARD OF THE DRUG LUNESTA?

13 A LUNESTA, THAT SOUNDS FAMILIAR. IT MAY
14 POSSIBLY BE THAT.

15 Q OKAY. THIS MEDICATION HERE, WHAT'S YOUR
16 ASSESSMENT OF THIS DRUG?

17 A THAT'S QUETIAPINE. IT'S USED TO TREAT
18 VARIOUS PSYCHIATRIC DISORDERS.

19 Q IS THAT ALSO -- I'M SORRY. IS THAT ALSO
20 SEROQUEL?

21 A YES.

22 Q AND WHAT HAVE YOU ASSESSED IN TERMS OF THE
23 LEVEL, THE PRESUMPTIVE EFFECT OF THAT DRUG?

24 A WELL, I LISTED IT -- THAT ONE, THAT'S AN
25 EXAMPLE OF ONE OF THE DRUGS WE HAVE A HARD TIME FINDING
26 ACTUAL LETHAL LEVELS DEFINED, BUT IT DEFINITELY WAS -- I
27 DON'T HAVE IT WRITTEN HERE, BUT I SAW WHAT THE NORMAL OR
28 THERAPEUTIC RANGE WAS, AND IT WAS DEFINITELY ELEVATED

1 ABOVE THAT.

2 Q THE SEROQUEL LEVEL WAS DEFINITELY ABOVE THE
3 THERAPEUTIC LEVEL, CORRECT?

4 A YES.

5 Q AND DO YOU HAVE AN OPINION ONE WAY OR THE
6 OTHER WHETHER IT WAS AT A LEVEL THAT COULD HAVE ENDED THIS
7 YOUNG LADY'S LIFE?

8 A I DO NOT -- I VAGUELY, VAGUELY REMEMBER IT
9 POSSIBLY HAVING A HIGH -- A HIGH SAFETY INDEX, SO IF THIS
10 WAS THE ONLY THING I'D FOUND, IT'S ONE OF THOSE WHERE I
11 SAID I JUST CAN'T BE SURE THAT IT REALLY CONTRIBUTED OR
12 CAUSED HER DEATH.

13 Q DO YOU HAVE THE EXPERTISE TO, FOR EXAMPLE,
14 TAKE THESE -- DRUGS, AMBIEN, LUNESTA, SEROQUEL, IN
15 COMBINATION, THAT ARE AT TOXIC OR ELEVATED LEVELS -- AND
16 FORM AN OPINION AS, FOR THOSE THREE MEDICATIONS, TO
17 WHETHER OR NOT THAT COMBINATION AND THE DRUG INTERACTIONS
18 WOULD HAVE BEEN SUFFICIENT BASED ON THE FEMORAL LEVELS TO
19 CAUSE DEATH IN THIS CASE?

20 A WHICH THREE BESIDES THE QUETIAPINE?

21 Q SEROQUEL, LUNESTA, AND AMBIEN. ZOLPIDEM,
22 QUETIAPINE, AND WHAT'S THIS ONE CALLED?

23 A ZOPICLONE.

24 Q ZOPICLONE.

25 A YES. IN COMBINATION, IF I HAD -- IF I ONLY
26 HAD THOSE THREE, ESPECIALLY WITH THE ZOLPIDEM, I WOULD
27 HAVE SAID, YEAH, "MULTIPLE DRUG INTOXICATION" WITH THAT
28 ONE, WITH THOSE THREE.

1 Q VERY GOOD.

2 NOW, DOCTOR, IN ADDITION TO TESTING FOR --
3 WELL, LET ME ASK THIS QUESTION: I THINK YOU'VE ANSWERED
4 IT, BUT IF AN EXPERT -- WELL, HYPOTHETICALLY, IF AN EXPERT
5 WERE TO TESTIFY THAT, IN THE ABSENCE OF PERCOCET IN THIS
6 CASE, THE EFFECT, THE NET EFFECT WOULD HAVE BEEN THE
7 PATIENT WOULD HAVE HAD A LONG SLEEP AND WOULD HAVE WOKEN
8 UP WITHOUT ANY RESIDUAL EFFECTS, DO YOU AGREE WITH THAT?

9 MS. MC BROOM: YOUR HONOR, OBJECTION. IT'S AN
10 INCOMPLETE --

11 THE COURT: I'M SORRY. I COULDN'T UNDERSTAND YOU.

12 MS. MC BROOM: IT'S AN INCOMPLETE HYPOTHETICAL.

13 THE COURT: INCOMPLETE? SEEMS LIKE IT'S BEYOND HIS
14 EXPERTISE, SO SUSTAINED ON THAT GROUND.

15 BY MR. BLESSEY:

16 Q WELL, MAYBE I CAN ASK IT THIS WAY: I THINK
17 YOU ALREADY TOLD US, BUT LET'S ASSUME THE PATIENT DIDN'T
18 TAKE PERCOCET AT ALL. DO YOU HAVE AN OPINION, BASED ON
19 THE LETHAL LEVEL OF TRAMADOL, THESE COMBINED LEVELS OF --
20 TOXIC LEVELS OF THESE OTHER DRUGS, THAT THE PATIENT WOULD
21 HAVE MERELY SLEPT AND WOKE UP WITH ANY -- ANY SEQUELAE
22 FROM THOSE DRUGS?

23 MS. MC BROOM: YOUR HONOR, I'M GOING TO OBJECT
24 AGAIN. I THINK IT GOES BEYOND THE SCOPE OF HIS EXPERTISE.

25 THE COURT: OVERRULED. SO EXCLUDING THE FIRST ONE,
26 OXYCONTIN?

27 MR. BLESSEY: YES, YES. THAT'S CORRECT.

28 THE WITNESS: IF I FOUND -- IF I HAD A TOXICOLOGY

1 REPORT WHICH HAD THOSE, THE TRAMADOL LEVELS, ZOLPIDEM
2 LEVELS, QUETIAPINE LEVELS, TRAZODONE LEVELS, ZOPICLONE --
3 SORRY, EXCLUDE THE TRAZODONE -- ZOPICLONE AND HYDROCODONE,
4 THAT TO ME WOULD BE -- I'D FEEL COMFORTABLE ASSIGNING THE
5 CAUSE OF DEATH AS MULTIPLE DRUG INTOXICATION.

6 BY MR. BLESSEY:

7 Q THANK YOU. NOW, IN ADDITION TO LOOKING FOR
8 LEVELS OF PRESCRIPTION DRUGS, DID YOU NOT LOOK AT WHETHER
9 OR NOT THIS INDIVIDUAL HAD INGESTED ILLICIT DRUGS?

10 A YES. THAT'S PART OF OUR -- THE DRUG SCREEN
11 IS ALSO DRUGS OF ABUSE AND ALCOHOL.

12 Q WHY DON'T YOU TURN TO EXHIBIT 114-24. HERE
13 WE GO, 25. I'M SORRY. ACTUALLY, THIS IS THE --
14 EXHIBIT 114-25 AND -26 IS A TWO-PAGE REPORT FROM THE
15 FORENSIC LABORATORY, CORRECT?

16 A YES.

17 Q AND THEY MEASURED LEVELS OF THE PRESCRIPTION
18 MEDICATIONS AS WELL AS LOOKING FOR ILLICIT DRUGS, TRUE?

19 A YES.

20 Q CAN YOU TAKE A LOOK AT THAT TWO-PAGE REPORT
21 AND TELL ME WHETHER YOU SAW ANY EVIDENCE IN THE FORENSIC
22 REPORT OF ANY, WHAT YOU WOULD CONSIDER TO BE STREET DRUGS
23 OR ILLICIT DRUGS?

24 A THE -- COCAINE WAS NOT DETECTED;
25 METHAMPHETAMINE WAS NOT DETECTED; MORPHINE, WHICH IN SOME
26 INSTANCES MAY BE DERIVED FROM HEROIN, WAS NOT DETECTED;
27 P.C.P. WAS NOT DETECTED. AND, I MEAN, ALCOHOL IS A LEGAL
28 DRUG, BUT THAT WAS NOT DETECTED AS WELL.

1 MR. BLESSEY: THANK YOU VERY MUCH, DOCTOR. I HAVE
2 NOTHING FURTHER.

3 THE COURT: REDIRECT?

4
5 REDIRECT EXAMINATION

6 BY MS. MC BROOM:

7 Q THIS MIGHT BE STATING THE OBVIOUS, BUT YOUR
8 COMMENTS BEFORE ABOUT WHETHER -- IF TARA HAD INGESTED
9 EVERYTHING OTHER THAN OXYCODONE WHEN SHE HAD DIED, IT
10 ASSUMES -- IT ASSUMES THAT YOU HAVE A DEAD BODY IN FRONT
11 OF YOU, CORRECT? I MEAN, YOU'RE NOT PERFORMING TESTS ON
12 LIVE PATIENTS TO DETERMINE WHAT LEVELS END UP LETHAL?

13 A WELL, IF I'M UNDERSTANDING THE QUESTION, IT
14 SOUNDS LIKE IT'S A HYPOTHETICAL BECAUSE I FOUND OXYCODONE,
15 AMONG SEVERAL OTHER THINGS, I MEAN, SO -- BUT I WAS JUST
16 ANSWERING THE QUESTIONS BASED ON THE HYPOTHETICALS.

17 Q RIGHT. YOU COULDN'T SAY WITH CERTAINTY
18 WHETHER TARA DE ROGATIS WOULD HAVE DIED FROM INGESTION OF
19 THE REMAINING PILLS BECAUSE YOU WERE ALREADY PRESENTED
20 WITH THE BODY? SHE WAS ALREADY DECEASED. YOU CAN'T
21 GIVE -- YOU CAN'T REALLY SAY WITH ANY CERTAINTY WHETHER
22 SHE WOULD HAVE DIED FROM A CERTAIN COMBINATION OF DRUGS OR
23 NOT?

24 MR. BLESSEY: LET ME JUST OBJECT. CERTAINTY IS NOT
25 A STANDARD, NUMBER ONE, AND THE QUESTION IS --

26 THE COURT: MORE PROBABLE THAN NOT MEDICALLY, AND
27 MAYBE WE CAN DEAL WITH THE STANDARD.

28 MR. NEWHOUSE: YOUR HONOR, COULD I TRY ASKING THE

1 QUESTION?

2 MR. BLESSEY: NO, YOU CAN'T.

3 THE COURT: I'M SORRY.

4 MR. NEWHOUSE: SORRY.

5 MS. MC BROOM: NOTHING FURTHER.

6 THE COURT: ANYTHING ELSE?

7 MR. BLESSEY: NO, YOUR HONOR.

8 THE COURT: THANK YOU VERY MUCH, SIR. YOU'RE
9 EXCUSED.

10 ALL RIGHT. SHALL WE TAKE OUR NOON RECESS?

11 MR. BLESSEY: YES, THANK YOU.

12 THE COURT: WE'LL BE IN RECESS UNTIL 1:30. AGAIN,
13 PLEASE REMEMBER THE ADMONITION OF THE COURT. DO NOT
14 DISCUSS THE FACTS OF THIS CASE AMONGST YOURSELVES OR WITH
15 ANYBODY ELSE. DO NOT FORM ANY OPINIONS OR CONCLUSIONS ON
16 THIS MATTER UNTIL IT'S FINALLY SUBMITTED TO YOU.

17 COURT'S IN RECESS UNTIL 1:30.

18

19 (THE NOON RECESS WAS TAKEN UNTIL
20 1:38 P.M. OF THE SAME DAY.)

21

22

23

24

25

26

27

28

1 CASE NUMBER: BC457891
2 CASE NAME: DE ROGATIS VS. SHAINSKY
3 PASADENA, CALIFORNIA TUESDAY, NOVEMBER 5, 2013
4 DEPARTMENT P HON. JAN A. PLUIM, JUDGE
5 APPEARANCES: (AS HERETOFORE NOTED)
6 REPORTER: KAREN E. KAY, CSR NO. 3862
7 TIME: P.M. SESSION

8

9 (THE FOLLOWING PROCEEDINGS WERE HELD
10 IN OPEN COURT, IN THE PRESENCE OF
11 THE JURY:)

12

13 THE CLERK: PLEASE RAISE YOUR RIGHT HAND.

14 DO YOU SOLEMNLY STATE THAT THE TESTIMONY YOU
15 MAY GIVE IN THE CAUSE NOW PENDING BEFORE THIS COURT SHALL
16 BE THE TRUTH, THE WHOLE TRUTH, AND NOTHING BUT THE TRUTH,
17 SO HELP YOU GOD?

18 THE WITNESS: I DO.

19 THE CLERK: PLEASE STATE AND SPELL YOUR NAME FOR
20 THE RECORD.

21 THE WITNESS: MY NAME IS MANFRED, THAT'S SPELLED
22 M-A-N-F-R-E-D, INITIAL E., WOLFF, W-O-L DOUBLE "F."

23 THE COURT: ALL RIGHT. THANK YOU, DOCTOR.
24 COUNSEL.

25 MR. NEWHOUSE: MAY I PROCEED, YOUR HONOR?

26 THE COURT: YES.

27

28

1 MANFRED WOLFF, M.D.,
2 CALLED AS A WITNESS BY THE PLAINTIFFS, WAS DULY SWORN AND
3 TESTIFIED AS FOLLOWS:

4
5 DIRECT EXAMINATION

6 BY MR. NEWHOUSE:

7 Q GOOD AFTERNOON, DR. WOLFF.

8 A GOOD AFTERNOON.

9 Q WHAT IS YOUR OCCUPATION, SIR?

10 A WELL, BY TRAINING, I AM A MEDICINAL CHEMIST.

11 Q AND DOES MEDICINAL CHEMIST ALSO -- SOME
12 PEOPLE CALL THAT PHARMACEUTICAL CHEMIST?

13 A YES. THE TERM USED IN EUROPE ORIGINALLY WAS
14 PHARMACEUTICAL CHEMIST, IN BRITAIN; AND IN THE U.S. IT
15 BECAME MEDICINAL CHEMIST.

16 Q IS IT CORRECT THAT YOU ARE SEMIRETIRED NOW,
17 SIR?

18 A THAT'S CORRECT.

19 Q JUST VERY BRIEFLY TRACE FOR US, PLEASE, YOUR
20 EDUCATIONAL BACKGROUND, BEGINNING WITH UNDERGRADUATE.

21 A MY UNDERGRADUATE DEGREE IS IN PHARMACY, A
22 BACHELOR'S DEGREE.

23 I ALSO HAVE A MASTER'S DEGREE IN
24 PHARMACEUTICAL CHEMISTRY AND, FINALLY, A DOCTOR OF
25 PHILOSOPHY IN PHARMACEUTICAL OR MEDICINAL CHEMISTRY. ALL
26 OF THAT WORK WAS DONE UNDER THE AUSPICES OF THE UNIVERSITY
27 OF CALIFORNIA BERKELEY. MOST OF IT WAS DONE AT THE
28 SAN FRANCISCO MEDICAL CENTER, WHICH AT THAT TIME WAS

1 SIMPLY A DEPARTMENT OF BERKELEY.

2 Q WHAT YEAR DID YOU RECEIVE YOUR PH.D. IN
3 PHARMACEUTICAL CHEMISTRY?

4 A 1955.

5 Q AND DID YOU DO A POSTDOCTORAL FELLOWSHIP AT
6 THE --

7 A YES.

8 Q GO AHEAD. WHERE?

9 A YES, I DID A POSTDOCTORAL FELLOWSHIP IN THE
10 DEPARTMENT OF CHEMISTRY AT THE UNIVERSITY OF VIRGINIA
11 UNDER THE WORLD-FAMOUS AUTHORITY IN MEDICINAL CHEMISTRY,
12 PROFESSOR ALFRED BURGER.

13 Q DID YOU COMPLETE, AT TUFTS UNIVERSITY, SOME
14 ADDITIONAL POSTGRADUATE TRAINING, SIR?

15 A I DID. I TOOK A COURSE THERE IN THE
16 PHARMACOLOGY DEPARTMENT, WHICH WAS FOCUSED ON THE PROCESS
17 OF BRINGING A DRUG FROM THE PRECLINICAL STAGE, WHEN ALL
18 THE TOXICITY AND OTHER EFFICACY, OTHER PRECLINICAL TESTS
19 HAD BEEN COMPLETED, TO THE CLINICAL STAGE AND ULTIMATELY
20 TO THE APPROVAL STAGE.

21 Q AND BY "EFFICACY OF CLINICAL TESTING," ARE
22 YOU REFERRING TO BASICALLY MEASURING THE EFFECTIVENESS OF
23 PHARMACEUTICALS?

24 A YES.

25 Q WHAT IS THE DIFFERENCE BETWEEN A
26 PHARMACEUTICAL CHEMIST AND A PHARMACOLOGIST, IF ANY?

27 A OKAY. A PHARMACOLOGIST IS INVOLVED IN THE
28 TESTING OF DRUGS IN ANIMAL SYSTEMS, AND ACTUALLY,

1 PHARMACEUTICAL RESEARCH IN THE INDUSTRY IS CARRIED OUT BY
2 TEAMS .

3 I WAS AN EMPLOYEE OF SMITH KLINE AND FRENCH
4 LABORATORIES IN PHILADELPHIA RIGHT AFTER I TOOK MY
5 POSTDOCTORAL WORK .

6 AND THE TEAMS CONSIST OF PHARMACOLOGISTS,
7 MEDICINAL CHEMISTS, BIOCHEMISTS, SOMETIMES PEOPLE FROM THE
8 PHARMACY DEPARTMENT, THE FORMULATION PEOPLE, AND USUALLY
9 AN M.D .

10 SO YOU CAN THINK OF THE DISCIPLINES AND THE
11 KNOWLEDGE THAT GOES INTO THE DEVELOPMENT OF A NEW DRUG
12 FROM ITS EARLIEST STAGES TO ITS CLINICAL REALITY AS
13 CONSISTING OF ALL OF THESE DISCIPLINES, ALL OF THESE
14 SCIENTIFIC DISCIPLINES, KIND OF MERGED INTO ONE .

15 AND SO THAT'S THE WAY I'M USED TO THINKING
16 OF DRUG DISCOVERY AND DEVELOPMENT .

17 Q THANK YOU. HAVE YOU EVER WORKED AS A
18 PHARMACIST?

19 A OH, RIGHT AFTER I TOOK MY BACHELOR'S DEGREE,
20 I SPENT A FEW MONTHS AS A PHARMACIST TO MAKE SOME MONEY TO
21 MAKE IT POSSIBLE TO GO ON INTO GRADUATE WORK. ALSO, WHEN
22 I COMPLETED MY GRADUATE STUDIES AND I HAD TO TRAVEL
23 FROM --

24 Q I ACTUALLY THINK YOU'VE ANSWERED THE
25 QUESTION. THANK YOU.

26 A OKAY.

27 Q FORGIVE ME FOR INTERRUPTING. I WANT TO MOVE
28 ON TO THE NEXT AREA.

1 HAVE YOU HELD ANY TEACHING POSITIONS IN THE
2 AREA OF PHARMACEUTICAL OR MEDICINAL CHEMISTRY, SIR?

3 A YES. BOTH AT U.C.S.F. BY THE TIME I TAUGHT
4 THERE, IT WAS A SEPARATE CAMPUS. AT U.C.S.F. I CAME IN AS
5 ASSISTANT PROFESSOR AND ROSE THROUGH THE RANKS TO FULL
6 PROFESSOR AND, FINALLY, CHAIRMAN OF THE DEPARTMENT OF
7 PHARMACEUTICAL CHEMISTRY.

8 AND THERE I DID TEACH PHARMACY STUDENTS AND
9 LATER GRADUATE STUDENTS IN MEDICINAL CHEMISTRY AND ALSO
10 EARLY ON IN PHARMACEUTICS.

11 Q PRIOR TO YOUR SEMIRETIREMENT, HOW WERE
12 YOU -- WERE YOU EMPLOYED FULL-TIME BY A PHARMACEUTICAL
13 COMPANY?

14 A WELL, I WAS. AFTER MY -- LET'S SEE, AFTER
15 MY TEACHING CAREER, I WAS RECALLED BY SMITH KLINE AND
16 FRENCH, WHICH AT THAT TIME HAD JUST PURCHASED ALLERGAN
17 HERE IN IRVINE, AND AT THAT TIME ALLERGAN WAS A CONTACT
18 LENS SOLUTION COMPANY, KIND OF LOW-TECH PRODUCTS.

19 SMITH KLINE WANTED THEM TO BECOME A DRUG
20 DISCOVERY COMPANY IN OPHTHALMOLOGY AND DERMATOLOGY, AND SO
21 I WAS BROUGHT IN TO HIRE THE TEAMS THAT DID THAT WORK.

22 Q SMITH KLINE AND FRENCH IS A PHARMACEUTICAL
23 COMPANY?

24 A IT WAS AT THE TIME. AT THE PRESENT TIME
25 IT'S A PART OF A BRITISH -- GLAXO SMITH KLINE, IT'S CALLED
26 GLAXO SMITH KLINE.

27 Q WHEN YOU RETIRED FROM THAT COMPANY, WHAT WAS
28 YOUR POSITION, SIR?

1 MEDICINAL CHEMISTRY AND DRUG DISCOVERY, AND I EDITED TWO
2 EDITIONS OF THAT. AND THEN I ALSO CONTRIBUTED BOOK
3 CHAPTERS TO OTHER PUBLICATIONS.

4 Q IN ADDITION TO YOUR WORK AS A PHARMACEUTICAL
5 CHEMIST, HAVE YOU ALSO RENDERED SERVICES IN WHAT WE'RE
6 CALLING MEDICAL-LEGAL WORK, EXPERT TESTIMONY?

7 A YES. AFTER I SEMIRETIRED, I BECAME VERY
8 INTERESTED IN THE PHARMACEUTICAL PATENTS BECAUSE ALL OF
9 THE EXPENSE OF PHARMACEUTICAL RESEARCH, AND IT IS
10 CONSIDERABLE, HAS TO BE PROTECTED BY PATENTS.

11 AND SO AT THAT TIME, AS I SAY, I BECAME
12 INTERESTED IN THAT WHOLE FIELD, AND I BECAME A REGISTERED
13 PATENT AGENT IN THE UNITED STATES PATENT OFFICE.

14 Q AND WHEN DID YOU START DOING THIS EXPERT
15 TESTIMONY?

16 A WELL, ACTUALLY, IT GOES BACK A LONG WAYS.
17 THE EARLIEST I DID WAS A TRIAL IN BRITAIN, AND THEY
18 BROUGHT ME OVER THERE TO OPINE ON -- ON ANABOLIC AGENTS IN
19 A PATENT CONTROVERSY.

20 BUT MORE RECENTLY, I THINK IT'S BEEN ABOUT
21 15 YEARS OR SO THAT I'VE BEEN DOING THAT.

22 Q SO IN THE LAST 15 YEARS, HOW MANY CASES
23 WOULD YOU ESTIMATE THAT YOU'VE BEEN RETAINED AS AN EXPERT
24 WITNESS IN THE FIELD OF PHARMACOLOGY -- NOT
25 PHARMACOLOGY -- PHARMACEUTICAL CHEMISTRY?

26 A I THINK IT'S ABOUT NINE CASES.

27 Q AND HAVE YOU RENDERED, IN THE PAST, OPINIONS
28 WITH REGARD TO THE EFFECT THAT CERTAIN PHARMACEUTICALS OR

1 DRUGS HAVE ON INDIVIDUALS, HUMAN BEINGS?

2 A YES.

3 Q JUST GIVE US AN EXAMPLE OF SOME PRIOR
4 TESTIMONY WHERE YOU RENDERED AN OPINION.

5 A WELL, ONE RECENT ONE WAS IN THE CASE OF A
6 PETTY OFFICER IN THE U.S. NAVY WHO HAD -- WAS INTERESTED
7 IN BODY BUILDING AND HAD UNFORTUNATELY TAKEN A
8 BODY-BUILDING SUPPLEMENT, WHICH, AS WE KNOW, THESE
9 SUPPLEMENTS ARE FREQUENTLY LACED WITH ILLEGAL ANABOLIC
10 STEROIDS, AND HE WAS -- HE WAS CAUGHT BY MEANS OF A URINE
11 TEST.

12 AND I WAS BROUGHT IN TO SEE IF I COULD
13 PROVIDE SOME REASON THAT THE NAVY COURT SHOULD EXCUSE HIM
14 FROM THAT -- FROM THAT TRANSGRESSION, AND IT TURNED OUT
15 THAT THE TESTING METHODS USED IN THAT CASE WERE VERY
16 DEFICIENT BECAUSE THE NAVY WAS TRYING TO SAVE MONEY AND
17 DID NOT ORDER THE FULL PANOPLY OF STEROID TESTS.

18 AND THE RESULT WAS THAT I COULD PROVIDE THE
19 OPINION THAT THE TESTS WERE INCOMPLETE, AND HE WAS EXCUSED
20 FROM THAT POTENTIAL ERROR.

21 Q IS THIS THE FIRST TIME YOU'VE BEEN RETAINED
22 TO RENDER AN OPINION IN A MEDICAL MALPRACTICE CASE,
23 DR. WOLFF?

24 A YES, IT IS.

25 Q WHAT IS TOXICOLOGY?

26 A TOXICOLOGY IS THE SCIENCE OF POISONS. IT'S
27 CARRIED OUT SOMETIMES BY PHARMACOLOGISTS. IN FACT, IN
28 MEDICAL SCHOOLS, VERY OFTEN THE TOXICOLOGY PORTION OF

1 PHARMACOLOGY IS TAUGHT WITHIN THE PHARMACOLOGY COURSE.

2 AND, AS I SAY, IT'S A SCIENCE OF POISONS,
3 THEIR ACTIONS, THEIR ANTIDOTES, AND ALSO WHAT KINDS OF
4 TOXIC EFFECTS CAN BE PRODUCED BY APPROVED DRUGS.

5 Q AND DO YOU HAVE EXPERIENCE IN YOUR
6 PROFESSIONAL CAPACITY IN TERMS OF DEALING WITH ISSUES
7 ARISING OR DEALING WITH TOXICOLOGY?

8 A WELL, YES. ONE OF THE ASPECTS OF DRUG
9 DISCOVERY IS BEING ABLE TO KNOW WHAT THE TOXIC EFFECTS OR
10 POTENTIAL TOXIC EFFECTS OF THE DRUGS THAT YOU'RE
11 ATTEMPTING TO DISCOVER ARE.

12 AND SO, AS I SAY, THERE WAS A TOXICOLOGIST
13 ON THE TEAMS FOR THE DRUG DISCOVERY. IN FACT, AT
14 ALLERGAN, I ACTUALLY HIRED THE INCOMING TOXICOLOGIST
15 BECAUSE THEY DIDN'T HAVE ONE AT THE TIME WHEN I GOT THERE.

16 Q LET ME ASK YOU NOW TO GIVE US, IF YOU CAN, A
17 ROUGH BREAKDOWN, AN ESTIMATE OF THE PERCENTAGE OF TIME
18 SPENT CURRENTLY DOING RESEARCH AND WRITING VERSUS
19 MEDICAL-LEGAL WORK.

20 A I WOULD SAY THAT IT PROBABLY BREAKS DOWN
21 50/50 BECAUSE I ATTEMPT TO KEEP UP MY -- IN MY FIELD BY
22 ATTENDING THE AMERICAN CHEMICAL SOCIETY MEETINGS AND THE
23 AMERICAN PHARMACEUTICAL ASSOCIATION MEETINGS AND ALSO
24 READING JOURNALS THAT ARE MAINLY REVIEWED JOURNALS, SUCH
25 AS DRUG DISCOVERY WORLD AND ALSO NATURE REVIEWS DRUG
26 DISCOVERY.

27 SO I SPEND A CONSIDERABLE PORTION OF MY TIME
28 JUST KEEPING UP WITH THIS RAPIDLY CHANGING FIELD, AND

1 PROBABLY LESS THAN HALF OF MY TIME IS INVOLVED IN
2 MEDICAL-LEGAL ISSUES.

3 Q NOW, DR. WOLFF, AT THE REQUEST OF MY LAW
4 FIRM, DID YOU AGREE TO REVIEW CERTAIN RECORDS, MEDICAL
5 RECORDS, AND OTHER MATERIALS RELATING TO THE TRAGIC DEATH
6 OF TARA DE ROGATIS?

7 A YES.

8 Q AND TELL ME, WHAT -- GENERALLY, WHAT SOURCES
9 OR DATA DID YOU EXAMINE WHEN YOU WERE ASKED TO EXAMINE IN
10 YOUR -- RENDERING YOUR OPINION?

11 A WELL, I RECEIVED THE REPORTS FROM THE
12 CORONER'S OFFICE. I RECEIVED SOME DOCUMENTS RELATING TO
13 THE TREATMENT OF TARA DE ROGATIS BY DR. SHAINSKY,
14 INCLUDING SUCH THINGS AS PRESCRIPTION RECORDS THAT WERE
15 PRODUCED FROM THE PRESCRIPTIONS THAT DR. SHAINSKY WROTE
16 FOR TARA. I THINK I MENTIONED THE CORONER'S REPORTS. I
17 ALSO EXAMINED PERTINENT LITERATURE REFERENCES THAT DEALT
18 WITH THAT CASE.

19 Q NOW, WITHOUT GOING INTO THE ACTUAL SPECIFIC
20 REFERENCES, WHEN YOU SAY "LITERATURE," YOU'RE REFERRING TO
21 RESEARCH LITERATURE DEALING WITH PHARMACEUTICAL?

22 A YES.

23 Q DID YOU ALSO EXAMINE SOME DEPOSITION
24 TRANSCRIPTS?

25 A YES. I READ A TRANSCRIPT FROM DR. SAFANI.
26 I THINK THERE WERE -- WERE ONE OR TWO OTHERS.

27 Q SAFANI. HOW ABOUT DR. BOHN?

28 A I THINK I DID READ THAT, YES.

1 Q AND HOW ABOUT A DR. SPIEGEL?

2 A I DON'T RECALL READING THAT.

3 Q DID YOU REVIEW DEPOSITION TRANSCRIPTS OF A
4 DAVID MAC EACHERN, TARA'S FIANCEE?

5 A YES, YES, THE FRIEND OF TARA'S.

6 Q NOW, LET ME ASK YOU, DOCTOR, YOU'RE BEING
7 COMPENSATED TODAY FOR YOUR TIME, ARE YOU NOT?

8 A I AM, YES.

9 Q AND TELL US WHAT YOUR -- WHAT THE BILLING
10 ARRANGEMENTS ARE. HOW MUCH ARE YOU CHARGING PER HOUR FOR
11 RESEARCH, DEPOSITIONS, COURT TESTIMONY, ET CETERA?

12 A WELL, MY BASE RATE IS \$335 PER HOUR.
13 FOR DEPOSITIONS, THERE'S A 2-HOUR MINIMUM,
14 AND I THINK THE RATE IS AROUND \$500 AN HOUR.

15 FOR COURT TESTIMONY, IT GOES BY A HALF DAY
16 OR A FULL DAY, AND I THINK THE RATE IS SOMETHING LIKE
17 \$3,000 FOR A HALF DAY.

18 Q I HOPE TO COMPLETE THIS IN A HALF A DAY.
19 SO FAR, JUST A ROUGH ESTIMATE, SO FAR,
20 APPROXIMATELY HOW MUCH HAVE YOU RECEIVED FROM -- IN
21 WITNESS FEES FROM BROWN WHITE & NEWHOUSE?

22 A IN THIS CASE?

23 Q YES, IN THIS CASE.

24 A I'VE RECEIVED -- WELL, I HAVEN'T RECEIVED
25 ALL OF IT, ACTUALLY, BUT I THINK THE TOTAL I'VE BILLED IS
26 UNDER -- JUST UNDER \$30,000.

27 Q THANK YOU. LET'S GO BACK TO -- HAVE YOU
28 DONE ANY -- IN PREPARING YOUR ANALYSIS, HAVE YOU DONE ANY

1 RESEARCH -- STRIKE THAT. LET ME IDENTIFY THE DRUGS.

2 YOU EXAMINED THE CORONER'S REPORT, DID YOU
3 NOT?

4 A YES, I DID.

5 Q LET ME JUST LIST THE CHEMICALS. ACCORDING
6 TO THE CORONER'S REPORT, AND THIS IS IN EVIDENCE, THE
7 FOLLOWING DRUGS WERE DETECTED IN TARA'S BLOOD: OXYCODONE,
8 HYDROCODONE, TRAMADOL, ZOLPIDEM, QUETIAPINE -- I CAN'T
9 PRONOUNCE THAT -- TRAZODONE AND ZOPICLONE; IS THAT RIGHT?

10 A YES, SEVEN DRUGS, THAT'S CORRECT.

11 Q AND HAVE YOU CONDUCTED ANY INDIVIDUAL --
12 DON'T TELL US -- WE DON'T NEED CITATIONS NOW, BUT HAVE YOU
13 DONE ANY RESEARCH, SCIENTIFIC REVIEW OF LITERATURE, WITH
14 RESPECT TO THE CHEMISTRY OF THESE PARTICULAR
15 PHARMACEUTICALS?

16 A I HAVE. I'VE EXAMINED REPORTS DEALING WITH
17 THE MEDICINAL CHEMISTRY AND ALSO THE PHARMACOLOGICAL
18 EFFECTS OF THESE DRUGS.

19 Q IS IT FAIR TO SAY, DOCTOR, THAT YOU HAVE
20 SPENT A LOT OF TIME DOING THE RESEARCH NECESSARY SO YOU
21 FEEL COMFORTABLE IN RENDERING THIS OPINION?

22 A THAT'S CORRECT.

23 Q HAS MY LAW FIRM PLACED ANY LIMITATIONS ON
24 YOU IN TERMS OF THE MAXIMUM AMOUNT OF TIME THAT YOU COULD
25 SPENT DOING YOUR RESEARCH SO YOU WOULD BE COMFORTABLE
26 RENDERING THIS OPINION?

27 A THEY NEVER HAVE, NO.

28 Q IN FACT, YOU CREATED A WRITTEN OPINION IN

1 THIS CASE, WHICH HAS BEEN PRODUCED TO THE DEFENDANTS,
2 RIGHT?

3 A YES.

4 Q YOU KNOW THAT BECAUSE THEY TOOK YOUR
5 DEPOSITION ON THAT, CORRECT?

6 A CORRECT.

7 Q SO, OF THE DOCUMENTS YOU MENTIONED SO FAR,
8 WERE THERE ANY THAT WERE OF PARTICULAR IMPORTANCE IN YOUR
9 EVALUATION AS TO THE CAUSE OF DEATH IN THIS CASE?

10 A WELL, THERE WERE A NUMBER OF THEM, YES.
11 THE MOST IMPORTANT IS THE CORONER'S REPORT,
12 WHICH GIVES THE BLOOD LEVELS OF THESE SEVEN DRUGS.

13 Q AND IF I CAN APPROACH.

14 SO IF YOU NEED TO REFERENCE THAT, DR. WOLFF,
15 THAT'S GOING TO BE IN VOLUME II. IT'S EXHIBIT 114, WHICH
16 IS RIGHT HERE, OKAY? SO THAT'S THE CORONER'S REPORT.

17 A OKAY.

18 Q AND THIS, BY THE WAY, IS THE SWITCHER IN
19 CASE YOU NEED TO MOVE IT.

20 A OKAY.

21 Q OKAY. TELL THE JURY WHAT SPECIFIC QUESTION
22 OR QUESTIONS YOU WERE ASKED TO GIVE AN OPINION ABOUT.

23 A I WAS ASKED TO DETERMINE THE DRUGS
24 RESPONSIBLE FOR THE DEATH OF TARA DE ROGATIS AND TO
25 SEPARATE THOSE -- OR I SHOULD SAY, AS DISTINGUISHED FROM
26 THE DRUGS THAT WERE NOT INVOLVED IN THE DEATH.

27 Q AND DO YOU FEEL THAT YOU'VE HAD SUFFICIENT
28 TIME TO ARRIVE AT AN OPINION WITHIN A REASONABLE DEGREE OF

1 MEDICAL CERTAINTY AS TO THE CAUSE OF DEATH OF TARA
2 DE ROGATIS ON MARCH 23RD, 2010?

3 A YES, I HAVE.

4 Q PLEASE TELL THE JURY WHAT YOUR OPINION IS
5 AND THEN WHAT WE'D APPRECIATE IS YOUR EXPLAINING YOUR
6 OPINION, FULLY GOING INTO DETAIL, EXPLAINING THE BASIS OF
7 THE ANALYSIS UNDERLYING THAT OPINION, OKAY?

8 A YES. MY OPINION IS THAT THERE WERE TWO
9 DRUGS INVOLVED IN THE DEATH OF TARA DE ROGATIS.

10 THE MOST IMPORTANT ONE, OR THE MOST INVOLVED
11 ONE, IS OXYCODONE, WHICH WAS ADMINISTERED TO HER IN THE
12 FORM OF A PRODUCT CALLED PERCOCET.

13 AND PERCOCET IS A COMBINATION OF OXYCODONE
14 AND ACETAMINOPHEN. ACETAMINOPHEN IS PROBABLY BETTER KNOWN
15 TO YOU AS TYLENOL. SO A TABLET OF PERCOCET CONTAINS 10
16 MILLIGRAMS OF OXYCODONE AND 325 MILLIGRAMS OF
17 ACETAMINOPHEN.

18 THE OTHER DRUG THAT WAS -- THAT I IMPLICATED
19 OR I FOUND IMPLICATED IS THE DRUG NORCO, WHICH IS
20 HYDROCODONE. BOTH OF THESE ARE DERIVATIVES OF MORPHINE,
21 ACTUALLY. CODEINE ITSELF IS A MORPHINE DERIVATIVE.

22 Q I'M SORRY. I DIDN'T HEAR THAT LAST PART.

23 A I SAID CODEINE ITSELF IS A MORPHINE
24 DERIVATIVE.

25 Q THANK YOU.

26 A AND OXYCODONE AND HYDROCODONE ARE ALSO,
27 THEREFORE, MORPHINE DERIVATIVES.

28 THERE WAS A QUESTION REGARDING THE ROLE OF

1 TRAMADOL IN THE DEATH. THAT'S THE SECOND ONE DOWN OVER
2 THERE, AND I FOUND -- AND AS YOU SEE, THE CORONER HAS
3 GIVEN AN INTERPRETATION FROM HIS STUDY THAT THAT TRAMADOL
4 WAS PRESENT IN A LETHAL AMOUNT, AND I CONCLUDED ON THE
5 BASIS OF THE METABOLISM OF TRAMADOL THAT TRAMADOL IS
6 ACTUALLY A PRODRUG, WHAT WE CALL A PRODRUG -- I CAN GO
7 INTO THIS IN MORE DETAIL, WHICH A PRODRUG BEING --

8 Q DO YOU WANT TO DO THAT NOW, OR DO YOU WANT
9 TO GO THROUGH YOUR POWERPOINT SLIDE?

10 A I'D RATHER JUST GO THROUGH IT SEQUENTIALLY.

11 Q OKAY.

12 A I'LL JUST FINISH SAYING, A PRODRUG IS A
13 SUBSTANCE THAT ITSELF HAS LITTLE OR NO DRUG-LIKE EFFECT,
14 BUT IS CONVERTED BY ENZYMES IN THE BODY, IN THE LIVER
15 SPECIFICALLY, TO AN ACTIVE AGENT.

16 AND TARA WAS INCAPABLE OF DOING THAT
17 CONVERSION. TARA'S BODY WAS INCAPABLE OF DOING IT, AS
18 EVIDENCED BY SOME -- SOME RESULTS THAT WE CAN GET INTO.

19 Q OKAY. DO YOU WANT TO SWITCH NOW TO YOUR
20 POWERPOINT, THEN, SIR?

21 A YEAH.

22 Q LET ME DO THAT. AND, AGAIN, YOU HAVE THE --
23 LET ME JUST SHOW YOU. YOU CAN BACK UP.

24 A YEAH.

25 Q I THINK THIS IS BACK, SO THAT SHOULD TAKE
26 YOU TO -- THAT'S GOING THE WRONG DIRECTION. THIS IS THE
27 BACK KEY.

28 A YES.

1 Q SURPRISINGLY, IT POINTS IN THE BACKWARD
2 DIRECTION AND THEN THE FORWARD KEY GOES FORWARD.

3 A ALL RIGHT.

4 MR. BLESSEY: I'M SORRY. IS THERE A QUESTION
5 PENDING RIGHT NOW?

6 THE COURT: THIS IS JUST FOUNDATION.

7 BY MS. MC BROOM:

8 Q EXPLAINING -- GO AHEAD AND EXPLAIN YOUR
9 ANALYSIS, SIR, USING YOUR POWERPOINT.

10 A OKAY. WELL, I DON'T KNOW IF WE NEED TO GO
11 THROUGH THIS ONE AGAIN. WE'VE TALKED ABOUT THE NATURE OF
12 MEDICINAL CHEMISTRY, TALKED ABOUT THE NATURE OF
13 PHARMACOLOGY. CLINICAL PHARMACOLOGY IS NOW A SUBSET OF
14 PHARMACOLOGY ITSELF, AND THAT'S DIRECTED SPECIFICALLY
15 TOWARDS CONDITIONS UNDER WHICH DRUG ACTIONS ON PATIENTS
16 VARY.

17 AND, FINALLY, "TOXICOLOGY," WE'VE DISCUSSED
18 AGAIN ALREADY.

19 OKAY. HERE ARE THE SEVEN DRUGS WE'VE SAID
20 ALREADY THAT OXYCODONE -- OOPS.

21 Q DO ONE MORE BACK. THERE YOU GO.

22 A OKAY. TRYING TO GET TO THIS --

23 Q THE POINTER IS -- LET ME SHOW YOU WHERE THE
24 POINTER IS.

25 A YEAH, I'VE GOT IT, BUT IT'S SO CLOSE TO THE
26 OTHERS.

27 Q YEAH. THE POINTER IS THE LITTLE RED BUTTON
28 HERE. DON'T POINT THAT IN COUNSEL'S EYES.

1 A NO, I WOULDN'T DO THAT.

2 OKAY. SO WE TALKED ABOUT OXYCODONE AND
3 HYDROCODONE. TRAMADOL, AS I WAS EXPLAINING, IS NOT
4 INVOLVED UNDER MY ANALYSIS, AND WE CAN GET INTO THE
5 DETAILS.

6 Q "NOT INVOLVED," YOU MEAN NOT THE CAUSE OF
7 HER DEATH?

8 A NOT THE CAUSE OF HER DEATH.

9 Q OKAY. THANK YOU. THEN WE HAVE THE TWO,
10 G.A.B.A.A. AGONISTS, ZOLPIDEM AND ZOPICLONE, WHICH ARE
11 BETTER KNOWN TO YOU AS AMBIEN AND LUNESTA. THEY ARE
12 SEDATIVE HYPNOTICS AND USED AS A SLEEPING AID.

13 AND, FINALLY, THE TWO DRUGS QUETIAPINE AND
14 TRAZODONE, WHICH ARE -- ONE IS A SEROTONIN ANTAGONIST; THE
15 OTHER IS A SEROTONIN AGONIST.

16 Q BY THAT, YOU MEAN THEY OFTEN -- THEY ACT IN
17 OPPOSITE DIRECTIONS?

18 A OPPOSITE DIRECTIONS, YEAH.

19 SO I WOULD LIKE TO EXPLAIN THE SITE OF
20 ACTION OF THESE DRUGS AND WHY THEY'RE IMPORTANT IN NERVOUS
21 TRANSMISSION.

22 AND THIS IS THE STRUCTURE OF A NEURON. THIS
23 IS A DIAGRAM, OBVIOUSLY, AND WE HAVE ABOUT 85 BILLION OF
24 THESE NEURONS IN THE BRAIN. IT'S AN INCREDIBLE NUMBER,
25 WHEN YOU THINK ABOUT IT.

26 IT WOULD BE -- IF EVERY PERSON ON EARTH WAS
27 MULTIPLIED BY 10, YOU WOULD GET TO 80 BILLION PEOPLE.
28 IT'S JUST A HUGE, HUGE NUMBER.

1 AND THESE NEURONS ARE NOT ONLY VERY
2 NUMEROUS, BUT THEY ALSO ARE CONNECTED TO EACH OTHER. THEY
3 TERMINATE IN A TERMINAL CALLED AN AXON TERMINAL AT THE END
4 OF THIS AXON PROCESS, WHICH EMANATES FROM THE CELL BODY
5 ITSELF. AND THESE AXON TERMINALS ARE CONNECTED TO THE
6 DENDRITES OF THE NEXT. ANOTHER NEURON HERE. THEN THE
7 CONNECTION IS BETWEEN THE AXON TERMINALS, WHICH YOU CAN
8 THINK OF AS AN ELECTRICAL PLUG, AND THE DENDRITES, WHICH
9 YOU CAN THINK OF AS AN ELECTRICAL SOCKET, BECAUSE NERVOUS
10 TRANSMISSION IS AN ELECTRICAL EFFECT.

11 BUT IT NOT THE SAME KIND OF ELECTRICITY THAT
12 YOU SEE IN A COPPER WIRE. THE REASON FOR THAT IS THAT
13 THE -- ANY ANIMAL BODY CONTAINS A GREAT DEAL OF WATER, AND
14 OBVIOUSLY YOU CAN NOT HAVE A SIMPLE ELECTRIC CIRCUIT IN
15 A WATER ENVIRONMENT. SO IT'S A DIFFERENT KIND OF
16 ELECTRICITY, DIFFERENT KIND OF ELECTRICAL CURRENT, BUT IT
17 IS ELECTRICAL.

18 AND SO IT'S THE CONNECTIONS BETWEEN THE
19 NEURONS WHICH ARE CRUCIAL TO THE NORMAL FUNCTIONING OF THE
20 BRAIN, AND I JUST RECENTLY FOUND THIS PICTURE ON THE COVER
21 OF DRUG DISCOVERY WORLD SHOWING NOT JUST THE STRUCTURE OF
22 THE BRAIN BUT EMPHASIZING MORE THE STRUCTURE OF THE NERVES
23 WHICH ARE ISSUED FROM THE BRAIN, AND THOSE NERVES ALSO ARE
24 COMPRISED OF NEURONS THAT ARE CONNECTED TO EACH OTHER THE
25 WAY WE JUST DISCUSSED.

26 AS I SAID, THESE HAVE TO BE CONNECTED
27 TOGETHER, THE AXONS -- THE AXONS UP HERE AND THE DENDRITES
28 DOWN HERE, AND THEY AREN'T CONNECTED DIRECTLY. THERE'S A

1 GAP BETWEEN THE END OF THE AXON AND THE BEGINNING OF THE
2 DENDRITE.

3 AND WHAT HAPPENS IS THAT THE SIGNAL IS
4 TRANSMITTED ACROSS THIS GAP BY CHEMICALS, AND THESE
5 CHEMICALS ARE CALLED "NEUROTRANSMITTERS." SO IT WILL TURN
6 OUT THAT THIS IS THE POINT AT WHICH THESE CENTRAL NERVOUS
7 SYSTEM DRUGS ACT, IN THAT -- IN THAT GAP. OKAY.

8 AND THERE ARE FOUR TYPES OF
9 NEUROTRANSMITTERS THAT WE SHOULD MENTION HERE. THE ACTION
10 OF THESE FOUR IS AFFECTED BY THE DRUGS INGESTED BY TARA IN
11 HER SUICIDE.

12 THOSE -- THE FOUR NEUROTRANSMITTERS ARE
13 NOREPINEPHRINE, DOPAMINE, SEROTONIN, AND
14 GAMMA-AMINOBUTYRIC ACID, ALSO CALLED G.A.B.A.A.

15 AND YOU CAN SEE FROM THEIR STRUCTURES THAT
16 THERE IS SOME SIMILARITY BETWEEN SOME OF THEM. FOR
17 EXAMPLE, THERE IS A SIMILARITY BETWEEN NOREPINEPHRINE AND
18 DOPAMINE BECAUSE THEY BOTH HAVE THIS HEXAGONAL RING, AND
19 THEY BOTH HAVE O.H. GROUPS ATTACHED TO THE RING. THEY
20 BOTH HAVE SIDE CHAINS THAT TERMINATE IN N.H.2 GROUPS.

21 Q AND THOSE RINGS ARE COMPOSED OF CARBON ATOMS
22 AT EVERY JUNCTURE; IS THAT CORRECT?

23 A YES. THOSE SIX-MEMBERED RINGS ARE COMPOSED
24 OF SIX CARBON ATOMS ATTACHED TO SIX HYDROGEN ATOMS, AND SO
25 THIS RING ACTUALLY IS JUST A SHORTHAND WAY OF DESCRIBING
26 THIS SIX-MEMBERED CARBON-HYDROGEN RING.

27 SEROTONIN DIFFERS SOMEWHAT IN HAVING TWO
28 RINGS. GAMMA-AMINOBUTYRIC ACID IS COMPLETELY DIFFERENT

1 FROM THE OTHERS BECAUSE IT DOESN'T HAVE ANY RINGS AT ALL.
2 IT'S JUST A STRAIGHT CHAIN.

3 SO HOW DOES THE NEUROTRANSMITTER ACT? THIS
4 QUESTION HAS BEEN EXPLORED FOR -- FOR MORE THAN 100 YEARS
5 NOW.

6 IN THE EARLY YEARS OF THE 20TH CENTURY, TWO
7 MAJOR SCIENTISTS, PAUL EHRLICH AND A BRITISH
8 PHARMACOLOGIST NAMED LANGLEY, PROPOSED THAT DRUGS MUST ACT
9 BY COMBINING WITH SOME KIND OF RECEPTOR.

10 AND THERE WAS REALLY NO BASIS FOR SAYING
11 THIS EXCEPT THEIR RESULTS. THEY FELT THAT SINCE THESE
12 DRUGS HAD SUCH A POWERFUL EFFECT, THAT THEY MUST BE
13 COMBINING WITH A RECEPTOR.

14 IT HASN'T BEEN UNTIL QUITE RECENTLY THAT
15 THIS -- THE PICTURE YOU SEE HERE ACTUALLY HAS CONSIDERABLE
16 TRUTH TO IT.

17 WHAT BOTH EHRLICH AND LANGLEY BELIEVED WAS
18 THAT THE DRUG COMPOUND RESEMBLES -- IN SOME WAY RESEMBLES
19 A KEY, A KEY THAT FITS A PARTICULAR LOCK, AND THAT THE
20 COMPOUND, THEREFORE, HAS THE CHARACTERISTICS OF A KEY, THE
21 RECEPTOR HAS THE CHARACTERISTICS OF A LOCK; THAT IS TO
22 SAY, THAT THERE ARE PROJECTIONS OF THIS KIND IN THE DRUG
23 COMPOUND THAT FIT INTO CAVITIES OF THIS KIND IN THE
24 RECEPTOR.

25 AND IT'S REMARKABLE THAT IT ACTUALLY TURNED
26 OUT THAT THERE'S A GOOD DEAL OF TRUTH TO THAT IN THIS
27 CASE.

28 WHEN THE DRUG -- THE KEY FITS INTO THE

1 RECEPTOR, SOMETHING HAPPENS, AND THAT -- THAT HAS BEEN THE
2 BASIS BY WHICH PHARMACEUTICAL COMPANIES AND ACADEMIC
3 SCIENTISTS DISCOVERED NEW DRUGS THAT -- NEW SYNTHETIC
4 DRUGS BECAUSE THEY ATTEMPTED TO MIMIC THE CHEMICAL
5 STRUCTURES OF NATURAL PRODUCTS LIKE MORPHINE INTO
6 ABBREVIATED CHEMICAL STRUCTURES LIKE OXYCODONE IN ORDER TO
7 PREPARE NEW DRUGS THAT, IN THE CASE OF THE MORPHINE
8 ANALOGS, COULD TREAT PAIN.

9 NOW, IT'S INTERESTING THAT THE STRUCTURE OF
10 A NEURORECEPTOR WAS ACTUALLY DEVELOPED RIGHT HERE IN
11 PASADENA BY PROFESSOR DOUGHERTY, BY CRYSTALLOGRAPHY. IT
12 CONSISTS OF A NUMBER OF SCREW-LIKE ENDS WHICH ARE BURIED,
13 WHICH ARE BASICALLY BURIED INTO THE CELL MEMBRANE. AND
14 THEN PROJECTING -- PROJECTING ABOVE THE MEMBRANE THAT IS
15 EXTERNAL TO THE CELL ARE THESE VARIOUS LOOPS. AND HERE
16 YOU CAN SEE THIS LITTLE PURPLE FIGURE, AND THAT IS A SMALL
17 MOLECULE, A DRUG-LIKE MOLECULE, WHICH IS -- HAS FITTED
18 ITSELF INTO SOME OF THE CHEMICAL FEATURES OF THE RECEPTOR.

19 Q SO WITH THAT BIT OF BACKGROUND, WE CAN TALK
20 ABOUT WHAT THE DEPUTY MEDICAL EXAMINER IN THE CORONER'S
21 DEPARTMENT DEVELOPED IN HIS TOXICOLOGY REVIEW OF TARA'S
22 BLOOD.

23 THIS BLOOD WAS TAKEN POSTMORTEM. SHE DIED
24 ON MARCH 23RD, 2010. AND HER BODY WAS DISCOVERED THAT
25 MORNING, AND IT'S POSSIBLE TO GET SAMPLES EVEN AFTER --
26 BLOOD SAMPLES EVEN AFTER DEATH, AND THAT'S WHAT THEY DID.

27 THESE BLOOD SAMPLES WERE TAKEN FROM THE
28 FEMORAL VEIN, A VEIN THAT IS IN THE UPPER PART OF THE

1 THIGH. IT'S EASILY ACCESSIBLE, AND THAT'S WHY THEY USED
2 THAT.

3 AND SO EACH OF THESE DRUGS HAS A VALUE FOR
4 THE FEMORAL BLOOD, EACH ONE, AND MOST OF THEM, ALL BUT ONE
5 ACTUALLY, ALSO HAVE A VALUE FOR THE BLOOD IN THE HEART, SO
6 A HEART SAMPLE WAS TAKEN. A SAMPLE OF HEART BLOOD WAS
7 ALSO TAKEN.

8 AND I WANTED TO SAY THAT, AND IT'S
9 WELL-ESTABLISHED, THAT THE HEART BLOOD IS NOT AS ACCURATE
10 AS THE FEMORAL VEIN BLOOD. SO THAT IT'S PREFERABLE TO
11 HAVE BLOOD FROM THE FEMORAL VEIN, WHICH HE DID HAVE IN SIX
12 OF THE SEVEN CASES.

13 BUT WHEN YOU HAVE NOTHING ELSE, THEN HE USED
14 THE HEART.

15 Q AND IT'S PREFERABLE BECAUSE THE FEMORAL
16 CONCENTRATION IS MORE ACCURATE INDICATION OF THE
17 CONCENTRATION AT THE TIME OF DEATH THAN THE HEART?

18 A THAT IS CORRECT, YES, THAT'S EXACTLY RIGHT.

19 Q PLEASE GO ON. THANK YOU.

20 A SO, NOW, IN ORDER TO GET INFORMATION
21 ABOUT -- FROM THESE BLOOD SAMPLES, THEY WERE PROCESSED TO
22 REMOVE THE CELLULAR MATERIAL, AND SO WHAT REMAINS THEN IS
23 THE PLASMA OR THE SERUM WITHOUT CELL -- WITHOUT RED BLOOD
24 CELLS, FOR EXAMPLE.

25 AND THIS MATERIAL, PLASMA OR SERUM, WAS
26 INJECTED INTO A GAS -- INTO A CHROMATOGRAPH. A
27 CHROMATOGRAPH IS AN INSTRUMENT THAT CAN SEPARATE THE
28 CONSTITUENTS OF A MIXTURE INTO INDIVIDUAL CHEMICAL PEAKS.

1 THE OUTPUT THAT YOU SEE IS PEAKS ON A GRAPH THAT GO LIKE
2 THAT.

3 AND FROM THESE PEAKS YOU CAN GET TWO THINGS:
4 ONE IS THE -- WELL, THE MOST IMPORTANT THING YOU CAN GET
5 IS THE AREA UNDER THE CURVE OF EACH PEAK, WHICH TELLS YOU
6 THE RELATIVE AMOUNTS OF EACH PEAK -- THE RELATIVE AMOUNTS
7 OF THE BLOOD CONSTITUENT THAT IS RESPONSIBLE FOR EACH
8 PEAK, AND THE OTHER QUESTION, OF COURSE, IS WHAT PEAK
9 CORRESPONDS TO WHAT DRUG.

10 AND THIS IS OBTAINED BY COUPLING THE
11 CHROMATOGRAPH TO A MASS SPECTROMETER.

12 AND THE MASS SPECTROMETER IS CAPABLE OF
13 DETERMINING THE MOLECULAR MASS OF EACH CONSTITUENT IN EACH
14 PEAK.

15 Q BY "MOLECULAR MASS," YOU'RE REFERRING TO THE
16 STRUCTURE OF THAT PARTICULAR MOLECULE?

17 A YES. IT WILL TELL YOU WHAT THE --
18 ESSENTIALLY, THE MASS CORRESPONDING TO THE CHEMICAL
19 STRUCTURE FOUND THAT HAS BEEN SEPARATED INTO EACH PEAK.

20 AND SO WHEN YOU GET ALL THROUGH WITH THAT --
21 AND THIS IS A VERY STANDARD PROCEDURE NOW, THE CORONER
22 DOES IT, A LOT OF THE LABS DO IT.

23 WHEN I STARTED STUDIES, THE IDEA OF COUPLING
24 A CHROMATOGRAPH INTO A MASS SPEC WAS -- SOUNDED INSANE
25 BECAUSE OF THE DIFFICULTY IN KEEPING THE HIGH VACUUM
26 NEEDED IN A MASS SPEC WHEN YOU'RE INJECTING THINGS LIKE
27 THAT INTO IT, BUT --

28 Q LET ME JUST INTERRUPT AND ASK: SO THIS

1 ANALYSIS, THE IDENTIFICATION OF THE SPECIFIC COMPOUNDS,
2 THESE DRUGS AND THE QUANTIFICATION, MEANING MEASURING THE
3 AMOUNTS OF THE CONCENTRATIONS --

4 A CORRECT.

5 Q -- THAT WAS ALL DONE BY THE CORONER'S
6 OFFICE, CORRECT?

7 A CORRECT.

8 Q SO THOSE -- THAT DATA IS AN ASSUMPTION, A
9 GIVEN FOR EVERYONE IN THE CASE, RIGHT?

10 A YES.

11 Q PLEASE CARRY ON.

12 A SO NOW -- NOW HE HAS THE CONCENTRATION, AND
13 THE CONCENTRATION OF EACH OF THESE PEAKS IS EXPRESSED IN
14 MICROGRAMS PER-MIL, WHICH IS SOME -- I CAN'T REALLY SEE IT
15 FROM HERE.

16 MR. BLESSEY: YOUR HONOR, AT THIS POINT I THINK I'D
17 LIKE TO OBJECT, AND HERE'S THE GROUNDS: HE WAS ASKED WHAT
18 THE BASIS OF HIS OPINION IS, AND FOR THE LAST 10 MINUTES,
19 HE'S BEEN TALKING ABOUT WHAT THE CORONER DID. SO I DON'T
20 SEE THE CONNECTION. I THINK IT LACKS FOUNDATION. WE JUST
21 NEED TO HEAR HIS OPINIONS.

22 THE COURT: OVERRULED.

23 MR. NEWHOUSE: THANK YOU.

24 Q CARRY ON.

25 A SO YOU CAN SEE, I THINK THAT SAYS 5-1/2
26 MICROGRAMS PER-MIL. THAT'S THE CONCENTRATION OF OXYCODONE
27 IN THE FEMORAL BLOOD, AND THEN HE -- EITHER FROM HIS OWN
28 RECORDS OR FROM THE LITERATURE, HE HAS VALUES THAT

1 INDICATE WHAT A LETHAL RANGE IS, WHAT A TOXIC RANGE IS, OR
2 WHAT A RANGE THAT IS NEITHER LETHAL NOR TOXIC IS FOR EACH
3 OF THESE DRUGS. SO HE HAS THAT INFORMATION, SOMETIMES, AS
4 I SAID, FROM HIS OWN DATA BECAUSE THEY DO HUNDREDS OF
5 THESE THINGS, AND SOMETIMES FROM THE LITERATURE. AND
6 THERE ARE BOOKS THAT HAVE THESE VALUES. ONE OF THE
7 IMPORTANT ONES THAT I USE, IT'S AN IMPORTANT BOOK, AND I
8 USE IT --

9 MR. BLESSEY: YOUR HONOR, I WOULD OBJECT TO ANY
10 CITE SPECIFICS.

11 MR. NEWHOUSE: HE'S NOT GOING TO MENTION --

12 THE COURT: HE CAN TELL US WHAT THE BOOK IS.

13 BY MR. NEWHOUSE:

14 Q JUST CARRY ON. THERE IS A BOOK?

15 A THERE IS A BOOK.

16 Q GO AHEAD.

17 A ALL RIGHT. SO ON THE BASIS OF THAT
18 INFORMATION, HE HAS CONCLUDED THAT THE OXYCODONE IS
19 PRESENT IN A LETHAL CONCENTRATION.

20 SECONDLY, HE IS ABLE TO SAY HOW MANY TABLETS
21 OF THE OXYCODONE WERE RESPONSIBLE FOR THIS BLOOD LEVEL,
22 AND THE WAY HE DOES THAT IS TO USE AN ESTIMATE OF
23 SOMETHING CALLED THE "VOLUME OF DISTRIBUTION."

24 THE VOLUME OF DISTRIBUTION IS THE VOLUME OF
25 EVERYTHING INTO WHICH THE BLOOD -- INTO WHICH THE DRUG
26 PENETRATES IN THE BODY. IT'S A NUMBER, AGAIN, THAT YOU
27 FIND IN BOOKS. AND USING THE VOLUME OF DISTRIBUTION AND
28 THE CONCENTRATION IN MICROGRAMS PER-MIL, HE CAN CALCULATE

1 THAT 46 TABLETS, EACH CONTAINING 10 MILLIGRAMS, WERE
2 RESPONSIBLE FOR THIS CONCENTRATION.

3 Q LET ME JUST INTERRUPT AND ASK YOU: DO YOU
4 AGREE WITH THE CORONER'S CONCLUSION THAT THE OXYCODONE,
5 A.K.A. PERCOCET, IF TAKEN ALONE, WOULD SURELY HAVE KILLED
6 TARA DE ROGATIS?

7 A YES.

8 Q THEN WHY DON'T YOU MOVE ON TO THE ANALYSIS
9 OF THE OTHER COMPOUNDS. TRAMADOL?

10 A OKAY. NOW, TRAMADOL IS A VERY INTERESTING
11 CASE. FORGIVE ME FOR USING TERMS LIKE "INTERESTING." OF
12 COURSE, THE DEATH OF TARA DE ROGATIS WAS A VERY TRAGIC
13 EVENT. BUT WHEN WE DO AN ANALYSIS LIKE THIS, WE GET TO
14 THE POINT WHERE WE'RE TALKING ABOUT MILLIGRAMS AND
15 MICROGRAMS, AND SOMEHOW THE TRAGEDY RECEDES INTO THE
16 BACKGROUND.

17 BUT I DO WANT TO SAY THAT I DON'T MEAN TO BE
18 DISRESPECTFUL IN TALKING ABOUT THESE VALUES THAT WAY.

19 Q LET ME ASK YOU: IS TRAMADOL AN OPIATE?

20 A YES, IT IS, AND I WANT TO TALK A LITTLE
21 ABOUT THE -- THE TRAMADOL WAS GIVEN AS ULTRACET. ULTRACET
22 IS THE TRADE NAME FOR THE PHARMACEUTICAL PRODUCT.

23 ULTRACET, LIKE SOME OF THESE OTHERS, IS A
24 MIXTURE OF THE OPIATE PLUS ACETAMINOPHEN, AS WE'VE TALKED
25 ABOUT FOR NORCO AND PERCOCET.

26 AND IN THIS CASE, THE TRAMADOL IS PRESENT IN
27 37-1/2 MILLIGRAMS PER TABLET. THE CALCULATION IN THE
28 CORONER'S REPORT ASSUMED A 50-MILLIGRAM TABLET. HE DIDN'T

1 AT THAT MOMENT REALIZE THAT THIS WAS ACTUALLY ULTRACET
2 RATHER THAN PURE TRAMADOL TABLETS, SO HIS CALCULATION IS
3 WRONG.

4 Q THE NUMBER OF TABLETS IS WRONG?

5 A YES. HE CALCULATED 39 TABLETS BASED ON A
6 50-MILLIGRAM TABLET, AND THE ACTUAL NUMBER WHEN I
7 RECALCULATED IT WAS 52 TABLETS BASED ON THIS LOWER WEIGHT.

8 SO I WANT TO JUST MENTION THAT IT'S
9 NECESSARY TO BE CAREFUL ABOUT READING DATA LIKE THIS
10 BECAUSE YOU HAVE TO BE SURE THAT -- THAT IT'S CORRECTLY
11 CALCULATED.

12 Q AND YOU ARE AWARE, ARE YOU NOT, FROM
13 REVIEWING THE DOCUMENTS IN THIS CASE, THAT ON FEBRUARY
14 3RD, 2010, SHE HAD RECEIVED FROM A DR. RAMIN A
15 PRESCRIPTION OF 60 TRAMADOL TABLETS?

16 A YES.

17 Q SO YOUR CONCLUSION IS THAT SHE USED, AT
18 MOST, ONLY EIGHT OF THOSE IN THE PRECEDING -- THE NEXT 60
19 DAYS?

20 MR. BLESSEY: SOUNDS LIKE LEADING, YOUR HONOR.

21 THE COURT: HE'S AN EXPERT. OVERRULED.

22 BY MR. NEWHOUSE:

23 Q CAN YOU ANSWER THE QUESTION?

24 A YES. ACTUALLY, SHE HAD RECEIVED, FIVE WEEKS
25 BEFORE HER SUICIDE, THIS PRESCRIPTION FOR 60 TABLETS. SHE
26 ONLY USED EIGHT OF THOSE IN THE FIVE WEEKS. WE CAN TELL
27 THIS FROM THE -- FROM THE DATA HERE BECAUSE IF 52 TABLETS
28 WERE IMPLICATED IN HER DEATH, THEN OBVIOUSLY EIGHT -- SHE

1 ONLY USED EIGHT OF THOSE IN HER -- IN THE COURSE OF
2 MEDICATING HERSELF.

3 THE COURT: I'M SORRY. WERE ALL 60 USED UP? ARE
4 YOU ASSUMING THAT OR SOME --

5 THE WITNESS: YEAH, THAT'S CORRECT. THE VIAL WAS
6 EMPTY -- WHEN SHE EMPTIED THESE THINGS OUT, SHE SWALLOWED
7 THEM AND JUST DROPPED THE VIAL ON THE BED, AND SO THEY
8 FOUND THE VIALS.

9 THE COURT: SO SHE SWALLOWED 52 OF THESE TABLETS?

10 THE WITNESS: CORRECT.

11 THE COURT: RATHER THAN 39; IS THAT WHAT YOU'RE
12 SAYING?

13 THE WITNESS: RATHER THAN 39; THAT'S CORRECT.

14 THE COURT: ACCORDING TO YOU.

15 THE WITNESS: YES. AND THAT'S BECAUSE THE DOSE IS
16 LOWER.

17 BY MR. NEWHOUSE:

18 Q LET ME ASK YOU, YOU WERE ALSO AWARE, WERE
19 YOU NOT, THAT SHE REPORTED TO HER PHYSICIAN, DR. SHAINSKY,
20 THAT THE ULTRACET DID NOT WORK FOR HER, DID NOT RELIEVE
21 HER PAIN? ARE YOU AWARE OF THAT?

22 A YES, I THOUGHT I HAD THAT.

23 Q THERE IT WAS.

24 A I THOUGHT THERE WAS A COPY OF THE
25 PRESCRIPTION.

26 Q WE JUST SAW IT.

27 A PARDON ME?

28 Q I THINK WE SAW IT BRIEFLY, BUT GO AHEAD.

1 A OKAY. SO WE DID HAVE A COPY OF THE
2 PRESCRIPTION INDICATING THAT IT HAD BEEN PRESCRIBED FIVE
3 WEEKS PRIOR, AND WE KNOW FROM THIS THAT SHE ONLY USED
4 EIGHT OF THE 60 TABLETS.

5 SO THE QUESTION IS: WHY DON'T I BELIEVE
6 THAT TRAMADOL IS IMPLICATED --

7 Q CORRECT.

8 A -- IN TARA'S DEATH. AND THE REASON FOR THAT
9 IS THAT TRAMADOL IS ESSENTIALLY AN INACTIVE PRODRUG. THIS
10 IS KNOWN AND --

11 Q JUST LET ME INTERRUPT. BY "PRODRUG" WHAT
12 YOU'RE SAYING IS TRAMADOL IN ITS INITIAL FORM, THE ONE ON
13 THE LEFT, ISN'T GOING TO HAVE ANY ANALGESIC THERAPEUTIC
14 QUALITY IN A HUMAN BODY BY ITSELF?

15 A CORRECT.

16 Q WHAT DOES IT TAKE FOR IT TO BECOME ACTIVE?

17 A OKAY. LET'S JUST SAY THAT THE BASIS FOR
18 THAT INACTIVITY, OTHER THAN THE FACT THAT IT IS INACTIVE,
19 IS THAT THE -- IS THAT WE CAN MEASURE THE AFFINITY OF A
20 DRUG OR A CHEMICAL SUBSTANCE TO ITS RECEPTOR. WE CAN
21 MEASURE THAT.

22 AND WHEN WE MEASURE THAT, THE -- IT TURNS
23 OUT THAT TRAMADOL HAS AN AFFINITY OF ONLY 2.4 MICROMOLAR.
24 IN OTHER WORDS, THE AFFINITY WOULD MEASURE AS A -- AS A
25 CONCENTRATION OF A SOLUTION THAT WOULD BE STRONG ENOUGH TO
26 BIND TO THE RECEPTOR.

27 AND IT'S 2.4 MICROMOLAR FOR TRAMADOL, BUT
28 FOR THE METABOLITE, THIS METABOLITE, WHICH IS

1 O-DESMETHYLTRAMADOL, THE AFFINITY IS 3.4 NANOMOLAR.

2 NOW, THERE ARE 1,000 NANOMOLES IN A
3 MICROMOLE. SO THE METABOLITE IS OBVIOUSLY FAR MORE
4 STRONGLY ATTRACTED TO THE RECEPTOR THAN THE PARENT
5 COMPOUND.

6 Q WE'RE CALLING THAT RECEPTOR THE "MU OPIOID
7 RECEPTOR"?

8 A YEAH, THE MU OPIOID RECEPTOR IS THE NATURAL
9 RECEPTOR FOR MORPHINE, AND IT'S CALLED A MU RECEPTOR
10 BECAUSE MU IS THE GREEK LETTER "M," AND IT'S "M" FOR
11 MORPHINE, SO THAT'S HOW WE END UP WITH PHRASES LIKE "MU
12 RECEPTOR."

13 SO -- AND TRAMADOL ITSELF IS ATTRACTED TO
14 THAT -- SORRY, THE O-DESMETHYLTRAMADOL ITSELF IS ATTRACTED
15 TO THAT RECEPTOR 700 TIMES MORE EFFECTIVELY THAN TRAMADOL
16 ITSELF. SO WE KNOW FROM THIS THAT THERE'S A REASON FOR
17 THE INACTIVITY OF TRAMADOL.

18 AND TARA, IN HER INTERVIEW BY DR. SHAINSKY,
19 TOLD DR. SHAINSKY THAT TRAMADOL DID NOT WORK FOR HER.
20 THOSE ARE HER WORDS AS REPORTED BY DR. SHAINSKY.

21 SO ONE HAS TO ANSWER THE QUESTION IN
22 ANALYZING THIS: WHY DIDN'T IT WORK? WELL, THIS IS THE
23 MOST OBVIOUS REASON, THAT HER LIVER WAS INCAPABLE OF
24 METABOLIZING TRAMADOL TO THE DESMETHYLTRAMADOL, AND THE
25 ONLY DIFFERENCE BETWEEN THESE COMPOUND IS THIS C.H.3
26 GROUP, WHICH IS A METHYL GROUP.

27 IN THE LIVER THAT CAN BE METABOLIZED TO TAKE
28 IT OFF AND LEAVE AN O.H. GROUP. THIS IS THE ACTIVE

1 COMPOUND WITH THE O.H. GROUP. WHERE THE C.H.3 GROUP IS ON
2 THERE, IT IS 700 TIMES LESS ACTIVE.

3 SO IT TURNS OUT THAT THERE IS A CERTAIN
4 SUBSET OF HUMAN BEINGS WHO LACK THE PARTICULAR ENZYME,
5 WHICH IS CALLED CYP -- CYP2D6. CYP2D6 IS KNOWN TO BE THE
6 ENZYME THAT REMOVES THIS METHYL GROUP FROM THIS POSITION.

7 AND SO WE KNOW IN GENERAL THAT THERE IS A
8 CERTAIN SUBSET OF HUMAN BEINGS WHO DO NOT HAVE CYP2D6 OR
9 HAVE ONLY SMALL AMOUNTS OF IT, AND THOSE PEOPLE ARE NOW
10 CLASSIFIED AS POOR METABOLIZERS, POOR METABOLIZERS.

11 THERE ARE SEVERAL CLASSES OF HUMAN BEINGS
12 WITH RESPECT TO METABOLISM. THERE ARE POOR METABOLIZERS,
13 INTERMEDIATE METABOLIZERS, AND ULTRAFAST METABOLIZERS.

14 AND THAT DEPENDS ON THE -- YOUR GENETIC
15 MAKEUP. IF YOU DON'T HAVE THE GENE TO MAKE THE ENZYME,
16 THEN YOU'RE GOING TO BE A POOR METABOLIZER FOR THIS
17 PARTICULAR DRUG.

18 SO FROM THAT INFORMATION WE CAN GO BACK --

19 Q BEFORE YOU GO ON, I HAVE ONE QUESTION.

20 A YEAH.

21 Q THE ENZYME YOU'RE TALKING ABOUT, THIS IS A
22 MOLECULE THAT ESSENTIALLY ACTS AS A CATALYST, FACILITATES
23 THE TRANSACTION?

24 A THAT IS CORRECT.

25 Q BUT IT'S NOT USED UP IN THE TRANSACTION?

26 A THAT IS CORRECT.

27 Q AND THAT ENZYME COMES INTO PLACE RIGHT WHERE
28 YOU HAVE LIVER AND THE ARROW, THAT'S A CHEMICAL REACTION

1 OCCURRING IN THE LIVER?

2 A IN THE LIVER, YES.

3 Q SO WE DON'T SEE THE ENZYME, BUT THE ENZYME
4 IS ACTIVE AT THAT POINT?

5 A WE KNOW IT'S THERE, YEAH, RIGHT.

6 Q THANK YOU.

7 A AND, OF COURSE, AS MANY OF YOU WELL KNOW,
8 OUR WHOLE BEING DEPENDS ON THE ACTIVITY OF ENZYMES BECAUSE
9 WHEN -- FOR EXAMPLE, WHEN WE DIGEST A HAMBURGER, WE USE AN
10 ENZYMATICALLY CATALYZED PROCESS, AND IF WE DIDN'T HAVE
11 THAT -- AS YOU KNOW, YOU HAVE TO COOK A HAMBURGER IN A
12 PAN, A HEATED PAN, TO COOK IT. SO WITHOUT -- SINCE OUR
13 BODIES ARE NOT AT THE TEMPERATURE OF A HEATED PAN, THERE
14 HAS TO BE SOMETHING THAT MAKES IT POSSIBLE TO CARRY OUT
15 THESE CHEMICAL REACTIONS, AND THE ENTITY THAT MAKES IT
16 POSSIBLE IS THE GROUP OF ENZYMES THAT ALL OF US CONTAIN.

17 AND WHAT WE'RE TALKING ABOUT HERE IS THAT
18 THERE IS SOME VARIATION IN THE ENZYME, ENZYMATIC MAKEUP OF
19 A HUMAN BEING, JUST LIKE SOME OF US HAVE BLUE EYES AND
20 SOME OF US HAVE BROWN EYES. SO WHAT YOU'RE SEEING HERE IS
21 THE CONSEQUENCE OF THAT TYPE OF GENETIC VARIATION.

22 Q SO WHAT IS THE BASIS, THEN? WHAT IS THE
23 SCIENTIFIC EVIDENCE THAT YOU RELIED UPON FOR YOUR
24 CONCLUSION THAT TARA LACKED THIS IMPORTANT ENZYME FOR HER
25 TO BE A METABOLIZER OF THE INACTIVE PRODRUG TRAMADOL?

26 A WELL, AMONG OTHER THINGS, THE CONCLUSION IS
27 BASED ON THE SECOND METABOLITE WHICH IS CALLED
28 NORTRAMADOL, AND HERE THE METHYL GROUP IS -- THAT WAS ON

1 THE NITROGEN IS NOW OFF. IN THE FIRST CASE IT WAS A
2 METHYL GROUP ON THE OXYGEN THAT'S OFF. IN NORTRAMADOL
3 THAT METHYL GROUP IS STILL HERE, BUT THE METHYL GROUP THAT
4 WAS ON THE NITROGEN IS NOW OFF AND NORTRAMADOL IS AN
5 INACTIVE METABOLITE.

6 Q WHICH MEANS THAT IT WOULD HAVE NO IMPACT, NO
7 ANALGESIC IMPACT?

8 A NO ANALGESIC ACTIVITY.

9 SO HERE YOU CAN SEE THE TWO DIFFERENT METHYL
10 GROUPS. THIS IS THE METHYL GROUP ON THE OXYGEN, THE ONE
11 THAT HAS TO COME OFF TO BE ACTIVE; AND THIS IS A METHYL
12 GROUP ON THE NITROGEN, WHICH LEADS TO THE NORTRAMADOL.

13 SOMEWHERE -- OH, YEAH, HERE IT IS.

14 WHAT WE SEE IN THE BLOOD LEVELS IN TARA'S
15 CASE WERE THAT THE TRAMADOL LEVELS WERE AT 16 MICROGRAMS
16 PER-MIL. THE NORTRAMADOL LEVELS WERE VERY SMALL, ONLY
17 ABOUT 2.2 PERCENT.

18 Q SO WAS NORTRAMADOL SOMETHING THAT THE
19 CORONER'S OFFICE, THAT LABORATORY, ACTUALLY TESTED FOR?

20 A THEY DID, BUT THEY BURIED IT. THEY DIDN'T
21 ACTUALLY CALL ATTENTION TO IT, BUT IT WAS IN A LIST OF
22 THEIR DATA.

23 Q BUT YOU FOUND IT?

24 A I FOUND IT.

25 Q EXPLAIN THE SIGNIFICANCE.

26 A AND THE SIGNIFICANCE TO ME WAS THAT IF YOU
27 LOOK AT THE BLOOD LEVELS IN A CASE OF POISONING BY
28 TRAMADOL ALONE, WHICH MEANS THAT THAT PERSON HAD TO HAVE

1 THE ENZYMES NECESSARY TO PROCESS TRAMADOL, IN THAT CASE,
2 THE BLOOD LEVEL OF TRAMADOL WAS 19 MICROGRAMS, A LITTLE
3 HIGHER THAN TARA'S, BUT THE NORTRAMADOL LEVELS WERE 8.5
4 MICROGRAMS, WHICH IS ABOUT 53 PERCENT OF THE 19, THIS
5 VALUE FOR TRAMADOL ITSELF.

6 IN TARA'S CASE, THE NORTRAMADOL LEVEL WAS
7 ONLY 2.2 PERCENT. SO TARA WAS -- HAD IMPEDED METABOLISM,
8 NOT ONLY OF THE OXYGEN METHYL GROUP BUT ALSO OF THE
9 NITROGEN METHYL GROUP.

10 AND SO THAT IS CLEAR EVIDENCE THAT THERE IS
11 A METABOLIC DYSFUNCTION HERE.

12 Q LET'S TALK ABOUT HYDROCODONE, WHICH WAS THE
13 THIRD SUBSTANCE OR COMPOUND IDENTIFIED IN THE CORONER'S
14 REPORT, AND THAT'S ALSO KNOWN AS NORCO?

15 A YES, IT IS.

16 Q AND YOU HAVE AT THE TOP, YOU KNOW THAT SHE
17 HAD ALMOST USED UP, HAD A FEW TABLETS LEFT OF A
18 10-MICROGRAM -- I'M SORRY, 10-MILLIGRAM 325, AND THE 325
19 REFERS TO ACETAMINOPHEN?

20 A YES.

21 Q SO DID YOU AGREE OR DISAGREE WITH THE
22 CORONER'S CONCLUSION THAT THE NORCO MAY WELL HAVE HAD AN
23 IMPACT ON HER POISONING AND HER FATALITY?

24 A I DID AGREE WITH IT, YES; THAT IS, HE
25 INDICATED THAT IT WAS AT THE LETHAL LEVEL OR CLOSE TO IT.
26 IT HAS EXACTLY THE SAME MECHANISM OF ACTION -- AND I
27 SHOULD TALK FOR A MOMENT ABOUT THE MECHANISM OF ACTION OF
28 THESE OPIATES IN PRODUCING A DEATH, NOT IN PRODUCING THE

1 ANALGESIA THAT IS THE PAIN-MODERATING EFFECT, BUT THE
2 UNWANTED TOXIC EFFECT OF DEPRESSING THE RESPIRATIONS.

3 NORMALLY --

4 Q LET ME JUST INTERRUPT. BY "DEPRESSING THE
5 RESPIRATION," YOU MEAN THAT ELEVATED CONCENTRATIONS OF THE
6 DRUG WOULD BASICALLY STOP ME FROM BREATHING --

7 A YES.

8 Q -- WHEN TAKING IT, RIGHT?

9 A YES.

10 Q IF I DON'T BREATHE LONG ENOUGH, THAT'S A BAD
11 THING?

12 A THAT'S BAD, YES.

13 Q CARRY ON.

14 A THE NORMAL BREATHING RATE OF A HUMAN BEING
15 IS AROUND 20 RESPIRATIONS PER MINUTE, AND WE DO NOT
16 CONSCIOUSLY BREATHE 20 TIMES A MINUTE. WE CAN CONSCIOUSLY
17 BREATHE, BUT FOR OUR ORDINARY 20 TIMES A MINUTE BREATHING,
18 IT'S NOT A CONSCIOUS ACT.

19 THAT IS THE RESPIRATORY CENTER IN THE BRAIN
20 SIGNALING TO BREATHE, BREATHE, BREATHE. AND THE EFFECT OF
21 THE OPIOIDS, MORPHINE, HEROIN, METHADONE, ALL OF THOSE
22 OPIOIDS, IS TO DEPRESS THE RESPIRATORY CENTER.

23 AND SO WHAT HAPPENS -- I ACTUALLY HAD A CASE
24 IN NORTHERN CALIFORNIA WHERE A COUPLE OF HIGH SCHOOL KIDS
25 STARTED PLAYING AROUND WITH METHADONE, WHICH IS ANOTHER
26 OPIATE, AND THEY WERE AT A FOOTBALL GAME. THEY BECAME
27 FATIGUED FROM THE EFFECTS OF THE METHADONE AND WENT HOME,
28 AND WHEN THEY GOT HOME, THEY TOLD THE PARENTS OF ONE OF

1 THEM, YOU KNOW, "WE'RE GOING TO CRASH. WE'RE PRETTY TIRED
2 FROM THE DAY."

3 SO THEY LAID DOWN ON THEIR BEDS, AND THE
4 PARENTS LOOKED IN ON THEM SOMETIME DURING THE EVENING, AND
5 THEY WERE SNORING. THEY WERE BOTH SNORING, AND THE
6 PARENTS BELIEVED THAT THAT WAS FINE, THAT THEY WERE
7 RESTING COMFORTABLY. BUT, ACTUALLY, THE SNORING IS ALSO A
8 SYMPTOM OF -- IN CASES OF OPIATE DEPRESSION OF
9 RESPIRATION, IT'S A SYMPTOM THAT PEOPLE SNORE, AND THAT'S
10 WHAT THEY WERE EXPERIENCING.

11 SO, ANYWAY, THEY CLOSED THE DOOR, EVERYBODY
12 WENT TO BED, AND THE NEXT MORNING BOTH KIDS WERE DEAD.
13 VERY SAD CASE.

14 AND THAT'S AN EXAMPLE OF RESPIRATORY
15 DEPRESSION. IT'S A SERIOUS THING. AND THIS IS THE --

16 Q CAN I ASK YOU A QUESTION? THE CORONER
17 MEASURED HYDROCODONE AT 0.45 MICROGRAMS PER-MILLILITER?

18 A THAT'S THE HEART CONCENTRATION.

19 Q YEAH. WELL, THE CORONER DIDN'T, FOR SOME
20 REASON, TAKE A FEMORAL SAMPLE WITH RESPECT TO --

21 A FOR SOME REASON, NO. I DON'T KNOW WHAT THAT
22 IS.

23 Q SO IS THAT MEASUREMENT THEN TAKEN -- TAKEN
24 FROM THE HEART SUBJECT TO SOME DOUBT OR ELEVATION BECAUSE
25 OF THE REDISTRIBUTION EFFECT?

26 A WELL, YES. WHEN YOU TAKE A SAMPLE OF HEART
27 BLOOD OF A PATIENT THAT HAS INGESTED DRUGS, THE BLOOD, AS
28 WE'VE TALKED ABOUT -- THE DRUG, AS WE'VE TALKED ABOUT,

1 DISTRIBUTES INTO VARIOUS TISSUES IN THE BODY, AND IT
2 PARTICULARLY DISTRIBUTES INTO THE HEART MUSCLE. THE HEART
3 BLOOD IS, AFTER ALL, A MUSCLE SURROUNDING THE --

4 THE COURT: YES?

5 A JUROR: MY BLADDER'S SHRINKING UP.

6 THE COURT: ALL RIGHT. TIME OUT. WE NEED TO TAKE
7 A BREAK HERE. COURT'S IN RECESS FOR 15 MINUTES.

8
9 (RECESS.)

10
11 (THE FOLLOWING PROCEEDINGS WERE HELD
12 IN OPEN COURT, IN THE PRESENCE OF
13 THE JURY:)

14
15 THE COURT: WE'RE BACK ON THE RECORD. ALL JURORS
16 ARE PRESENT. PARTIES ARE PRESENT. LAWYERS ARE PRESENT,
17 MANFRED WOLFF HAS RESUMED THE WITNESS STAND.

18 A REMINDER TO YOU, SIR, YOU REMAIN UNDER
19 OATH. UNDERSTOOD?

20 THE WITNESS: YES. THANK YOU.

21 THE COURT: LET'S FINISH THIS UP.

22 MR. NEWHOUSE: THANK YOU, YOUR HONOR.

23 Q DR. WOLFF, WE'RE GOING TO MOVE MUCH MORE
24 QUICKLY THROUGH THE REMAINING CHEMICALS, AND IF I COULD
25 JUST -- IN SUM, YOUR TESTIMONY WITH REGARD TO HYDROCODONE,
26 YOUR ANALYSIS IS THAT THE INGESTION OF THE SEVEN OR SO
27 TABLETS MIGHT HAVE ADDED TO THE RESPIRATORY EFFECTS BUT
28 WOULD NOT HAVE BEEN SUFFICIENT IN AND OF ITSELF TO KILL

1 HER?

2 MR. BLESSEY: YOUR HONOR, THAT'S NOT RELEVANT.
3 EITHER HE'S GOT A REASONABLE MEDICAL PROBABILITY OPINION
4 OR HE DOESN'T, SO "MIGHT HAVE" IS NOT THE STANDARD. I
5 WOULD OBJECT.

6 THE COURT: GO AHEAD.

7 MR. NEWHOUSE: I'LL TAKE THAT AS A --

8 THE COURT: REPHRASE IT.

9 MR. NEWHOUSE: I'LL TAKE THAT AS A FRIENDLY
10 AMENDMENT.

11 Q DO YOU HAVE AN OPINION TO A REASONABLE
12 DEGREE OF MEDICAL CERTAINTY THAT INGESTION OF THE SEVEN
13 NORCO 10-MILLIGRAM 325 TABLETS MIGHT HAVE ADDED TO THE
14 RESPIRATORY DEPRESSION BUT WOULD NOT HAVE KILLED HER?

15 MR. BLESSEY: SAME OBJECTION.

16 THE COURT: OVERRULED.

17 THE WITNESS: I BELIEVE THAT IN VIEW OF THE FACT
18 THAT THE HEART CONCENTRATION IN ALL OF THOSE MEASUREMENTS
19 BY THE MEDICAL EXAMINER WERE -- THE HEART CONCENTRATIONS
20 WERE ABOUT DOUBLE THE FEMORAL VEIN CONCENTRATIONS. THAT'S
21 REALLY THE ONLY WAY I CAN INTERPRET THIS HEART
22 CONCENTRATION, WOULD BE DOWN AROUND 0.22, AND SO I BELIEVE
23 THAT THE NORCO CONTRIBUTED TO THE DEATH OF TARA BECAUSE IT
24 WAS GIVEN IN CONJUNCTION WITH THE -- WITH THE OXYCODONE.
25 SO IT WAS A CONTRIBUTING FACTOR TO HER DEATH.

26 BY MR. NEWHOUSE:

27 Q LET'S MOVE ON TO ZOLPIDEM, THE NEXT
28 CHEMICAL.

1 A YES.

2 Q CORONER'S REPORT STATED THAT ZOLPIDEM WAS
3 DETECTED IN HER BLOOD AT WHAT LEVELS?

4 A 1.2 MICROGRAMS PER-MIL IN THE -- IN THE
5 FEMORAL VEIN.

6 IN THIS CASE, THERE HAVE BEEN REPORTS THAT
7 I'M RELYING ON THAT LEVELS AS HIGH AS 140 TO 440
8 MILLIGRAMS RESULTED IN ONLY 6 PERCENT FATALITIES. THESE
9 LEVELS IN TARA'S CASE ARE DOWN AT, LIKE, 1 PERCENT OF
10 THAT, SO --

11 Q SO YOUR OPINION WAS?

12 A MY OPINION IS THAT THE ZOLPIDEM DID NOT
13 CONTRIBUTE TO THE DEATH OF TARA.

14 Q OKAY. AND THE REMAINING CHEMICALS, WHAT'S
15 THE NEXT ONE? WE HAVE A SLIDE, I THINK. THERE WE GO.

16 I'M GOING TO CALL IT SEROQUEL BECAUSE I
17 CANNOT PRONOUNCE THE OTHER NAME, THE SEROTONIN DOPAMINE
18 ANTAGONIST.

19 WHAT WAS YOUR ANALYSIS AND OPINION AS TO
20 WHETHER OR NOT THIS DRUG, SINGLY OR IN COMBINATION, WOULD
21 HAVE CAUSED THE DEATH OF TARA?

22 A MY OPINION IS THAT IT DID NOT CONTRIBUTE TO
23 THE DEATH OF TARA, AND I WANT TO EMPHASIZE AT THIS POINT
24 THAT WHEN HE SAYS THAT "3.8 MICROGRAMS IS ELEVATED,
25 POSSIBLY TOXIC," TOXIC DOES NOT MEAN LETHAL. OKAY? TOXIC
26 IS SOME NEGATIVE IMPACT ON THE PERSON, MAYBE AN ORGAN
27 INJURY OR --

28 Q SO IF SOMETHING IS TOXIC TO ME, I MIGHT BE

1 INJURED OR MY ORGANS MIGHT BE ADVERSELY AFFECTED, BUT I'M
2 NOT GOING TO DIE?

3 A YOU'RE NOT GOING TO DIE FROM IT.

4 Q THE NEXT ONE? TRAZODONE?

5 A THAT'S DESYREL. THAT'S A SEROTONIN REUPTAKE
6 INHIBITOR. NOW, THAT -- A REUPTAKE INHIBITOR WOULD
7 INCREASE THE CONCENTRATION OF SEROTONIN IN THE SYNAPTIC
8 CLEFT.

9 Q SO IT'S ONE OF THESE NEUROTRANSMITTERS YOU
10 WERE SHOWING US MOVING FROM THE DENDRITE TO THE RECEIVER?

11 A FROM THE AXON TO THE DENDRITE, YEAH.

12 SO -- SO THIS WOULD GIVE A SEROTONIN-LIKE
13 EFFECT, BUT THE -- THE CONCENTRATION HERE IS A LOW NORMAL
14 AS CALCULATED BY THE DEPUTY MEDICAL EXAMINER.

15 Q SO YOUR --

16 A AND FATALITIES ARE RARELY ATTRIBUTED SOLELY
17 TO TRAZODONE AT BLOOD CONCENTRATIONS BELOW 9 MICROGRAMS,
18 SO IT'S JUST A SMALL FRACTION OF THAT, AND I THINK IT DID
19 NOT CONTRIBUTE TO THE DEATH OF TARA.

20 Q AND THE NEXT CHEMICAL, PLEASE, ZOPICLONE?

21 A LUNESTA IS A SELECTIVE G.A.B.A.A. AGONIST.
22 THAT'S SUPPOSED TO BE G.A.B.A.A., SUB "A," BUT THE MACHINE
23 DIDN'T PRINT IT THAT WAY.

24 SHE, AGAIN, HAD A LOW POSTMORTEM BLOOD
25 CONCENTRATION, ONLY 0.21 MICROGRAMS PER-MIL. 1.1 TO 4-1/2
26 IS ELEVATED TO TOXIC, SO ZOPICLONE IS NOT INVOLVED IN THE
27 DEATH OF TARA.

28 Q AND YOUR NEXT SLIDE. SO HERE YOU SUMMARIZE,

1 DO YOU NOT, YOUR ULTIMATE CONCLUSION AS TO THE INGESTION
2 OF THE DRUGS THAT THE CORONER DETECTED AND WHETHER OR NOT
3 THESE DRUGS MAY OR MAY NOT HAVE BEEN RESPONSIBLE FOR HER
4 DEMISE?

5 A CORRECT. AND SO WHAT WE'RE SAYING IS
6 THAT -- WHAT WE'RE CONCLUDING IS THAT OXYCODONE, AN
7 OPIATE, AND HYDROCODONE, AN OPIATE, ARE RESPONSIBLE --
8 ANYWAY, OXYCODONE IS THE MAIN ACTOR HERE. IT ALONE WOULD
9 HAVE -- WOULD HAVE BEEN LETHAL, BUT THE HYDROCODONE HAS
10 EXACTLY THE SAME MECHANISM OF ACTION, RESPIRATORY
11 DEPRESSION, AND IT IS, THEREFORE, CONTRIBUTORY TO THE
12 DEATH OF TARA.

13 THE OTHERS, TRAMADOL, ZOLPIDEM, ZOPICLONE,
14 QUETIAPINE, AND TRAZODONE, ARE NOT RESPONSIBLE FOR TARA'S
15 DEATH.

16 MR. NEWHOUSE: NO FURTHER QUESTIONS, YOUR HONOR.
17 THANK YOU.

18 THE COURT: CROSS-EXAMINATION.

19 MR. BLESSEY: THANK YOU, YOUR HONOR.

20

21 CROSS-EXAMINATION

22 BY MR. BLESSEY:

23 Q GOOD AFTERNOON, DR. WOLFF.

24 A HELLO, SIR.

25 Q YOU'RE NOT A MEDICAL DOCTOR, ARE YOU?

26 A I AM NOT.

27 Q NOW, LET'S SEE IF WE CAN SET THE RECORD
28 STRAIGHT ON A COUPLE OF THINGS, AND WE'LL START WITH --

1 MR. NEWHOUSE: YOUR HONOR, I'M GOING TO OBJECT TO
2 THE ARGUMENTATIVE QUESTION. WE'RE NOT SETTING THE RECORD
3 STRAIGHT.

4 THE COURT: SUSTAINED.

5 MR. NEWHOUSE: THANK YOU.

6 BY MR. BLESSEY:

7 Q LET'S TALK ABOUT YOUR FEES. I THINK I HEARD
8 YOU SAY YOUR FEES IN THIS CASE TOTAL ARE AROUND 30,000,
9 CORRECT?

10 A YES, IT'S UNDER -- SOMETHING UNDER 30,000.

11 Q OKAY. WELL, I'D LIKE TO READ FROM YOUR
12 DEPOSITION TRANSCRIPT, PAGE 15, LINES 3 THROUGH 5.

13 MR. NEWHOUSE: NO OBJECTION, YOUR HONOR. THANK
14 YOU.

15 BY MR. BLESSEY:

16 Q "QUESTION: OKAY. I UNDERSTAND. I SEE.
17 THE AMOUNT THAT HAS NOT BEEN PAID, IS THAT IN
18 RELATION TO THE JULY 29 INVOICE FOR
19 \$38,432.95?"

20 MR. NEWHOUSE: DO YOU WANT TO READ THE ANSWER?

21 BY MR. BLESSEY:

22 Q THE ANSWER:

23 "WELL, THAT'S THE TOTAL, I THINK."

24 SO WHAT HAPPENED, MR. WOLFF, IS THAT YOU
25 WERE SHOWN AN INVOICE AT YOUR DEPOSITION, CORRECT?

26 A YES, I DID.

27 Q OKAY. AND YOUR DEPOSITION WAS TAKEN ON
28 AUGUST 16TH, 2013 -- '12, SORRY, CORRECT? AUGUST 16TH,

1 2012, CORRECT?

2 A YES.

3 Q ALL RIGHT. AND PART OF THE REASON THAT YOUR
4 FEES ARE SO HIGH IS BECAUSE YOU SPENT MANY, MANY, MANY
5 HOURS TRYING TO READ ABOUT THE SUBJECT MATTER OF THIS
6 CASE, TRUE?

7 A I REVIEWED THE LITERATURE RELEVANT TO THE
8 CASE, YES.

9 Q YES, YOU SPENT MANY HOURS, CORRECT?

10 MR. NEWHOUSE: OBJECTION. ARGUMENTATIVE.

11 THE WITNESS: I SPENT --

12 THE COURT: OVERRULED.

13 THE WITNESS: I SPENT THE HOURS THAT ARE REFLECTED
14 IN THAT NUMBER, YES.

15 BY MR. BLESSEY:

16 Q OKAY. SO YOUR HOURLY FEE IS, I THINK YOU
17 SAID, \$335 PER HOUR?

18 A RIGHT.

19 Q SO IF WE DID THE MATH, YOU SPENT THOUSANDS
20 OF HOURS GENERATING THAT BILL AS OF AUGUST 16, 2012,
21 CORRECT?

22 A WELL, I SPENT ABOUT -- LET'S SEE. IT'S 3
23 HOURS PER THOUSAND, SO IT'S 38 TIMES 3, SO IT'S THOUSANDS?
24 IT'S LIKE 110 OR 120.

25 Q OKAY. ONLY 100 -- APPROXIMATELY ONLY 110
26 HOURS OF READING WHATEVER YOU COULD GET YOUR HANDS ON
27 ABOUT THIS SUBJECT MATTER, CORRECT?

28 A WHATEVER I COULD -- I READ INFORMATION

1 RELEVANT TO THE CASE, AND THAT INCLUDES ALL OF THE
2 DOCUMENTS LIKE THE CORONER'S REPORT THAT WERE INVOLVED IN
3 THE CASE.

4 Q OKAY. NOW, THE REASON YOU READ THE OTHER
5 MATERIALS, THAT IS, YOU WENT TO THE LITERATURE AND LOOKED
6 UP THINGS, IS BECAUSE THIS SUBJECT MATTER OF THIS CASE IS
7 NEW TO YOU, ISN'T IT?

8 MR. NEWHOUSE: OBJECTION. VAGUE AND AMBIGUOUS.

9 THE COURT: OVERRULED.

10 THE WITNESS: WHEN YOU SAY NEW TO ME, THE SUBJECT
11 OF DRUG ACTION IS CERTAINLY NOT NEW TO ME.

12 BY MR. BLESSEY:

13 Q THAT'S A GOOD POINT, MR. WOLFF.

14 A IT'S DR. WOLFF, BY THE WAY.

15 Q I'M SORRY, DR. WOLFF.

16 "DRUG," THE TERM "DRUG" IS VERY GENERAL,
17 ISN'T IT? SO LET'S TALK ABOUT -- YOU WOULD AGREE THE TERM
18 "DRUG" COULD REFER TO A LOT OF DIFFERENT TYPES OF DRUGS,
19 CORRECT?

20 A IT COVERS THE FIELD OF DRUGS, YES.

21 Q SURE. NOW, I SAY IT'S NEW TO YOU BECAUSE
22 ISN'T IT TRUE THAT IN YOUR PAST WHEN YOU'RE ACTIVELY
23 INVOLVED WITH YOUR PROFESSION, YOU WERE INVOLVED WITH THE
24 RESEARCH AND DEVELOPMENT OF DRUGS THAT ARE NOT IN THE
25 FAMILY OF DRUGS IN THIS CASE, TRUE?

26 A I -- I WAS INVOLVED IN DRUGS, INCLUDING
27 ADRENERGIC DRUGS, CHOLINERGIC DRUGS, STEROID DRUGS,
28 RETINOID DRUGS, PROSTAGLANDIN DRUGS, SO A BROAD VARIETY OF

1 DRUGS WHICH MAKES IT POSSIBLE FOR ME TO UNDERSTAND WHAT
2 DRUG ACTION IS ALL ABOUT.

3 Q THE PERTINENT QUESTION, SIR -- PLEASE ANSWER
4 MY QUESTION -- IS: YOU WERE NOT INVOLVED WITH RESEARCH
5 AND DEVELOPMENT OF THE CLASS OF DRUGS THAT ARE INVOLVED IN
6 THIS CASE, TRUE?

7 A I WAS NOT INVOLVED TO ANY GREAT EXTENT;
8 THAT'S TRUE.

9 MR. BLESSEY: OKAY. I'D LIKE TO READ FROM
10 DR. WOLFF'S DEPOSITION TRANSCRIPT, PAGE 34, LINES 4
11 THROUGH 9.

12 MR. NEWHOUSE: OBJECTION. IT'S IMPROPER
13 IMPEACHMENT, YOUR HONOR.

14 THE COURT: LET ME HAVE THE ORIGINAL.

15 MR. NEWHOUSE: KAMAN, CAN YOU GET DR. WOLFF'S
16 TRANSCRIPT?

17 THE COURT: LISTEN, GO AHEAD AND READ IT.

18 MR. BLESSEY: THANK YOU, YOUR HONOR.

19 THE COURT: PLEASE, YOU KNOW, IF YOU DON'T HAVE IT
20 LODGED WITH THE COURT, I CAN'T DO MUCH ABOUT IT. GO
21 AHEAD.

22 BY MR. BLESSEY:

23 Q "QUESTION: AND I'VE SEEN A BIT ON YOUR C.V.
24 AND IN MATERIALS ABOUT THE MEDICATIONS AND
25 DRUGS THAT YOU HAVE DEVELOPED. ARE ANY OF
26 THOSE IN THE SAME DRUGS OR IN THE SAME FAMILY
27 OF DRUGS THAT WE ARE TALKING ABOUT
28 MOMENTARILY REGARDING TARA DE ROGATIS?

1 "ANSWER: THEY ARE NOT."

2 MR. NEWHOUSE: OBJECTION. IT'S CUMULATIVE AND
3 NOT -- IT'S IMPROPER IMPEACHMENT, YOUR HONOR. IT'S THE
4 SAME ANSWER HE GAVE.

5 THE COURT: OVERRULED.

6 BY MR. BLESSEY:

7 Q NOW, IN ADDITION NOT BE BEING INVOLVED IN
8 RESEARCH AND DEVELOPMENT OF THE DRUGS IN THIS CASE, SIR,
9 YOU HAVE -- PRIOR TO THIS CASE, YOU HAVE NOT BEEN CALLED
10 UPON IN YOUR PROFESSIONAL CAPACITY OVER THE YEARS TO
11 FORMULATE OPINIONS ABOUT TOXICOLOGY AND THE CAUSE OF
12 DEATH, TRUE?

13 A MY EFFORTS IN -- IN PROVIDING INFORMATION IN
14 CASES OF THIS TYPE INVOLVES THE ACTION OF DRUGS GENERALLY.

15 THE -- THE TOXICOLOGICAL EFFECTS OF DRUGS
16 ARE SIMILAR TO THE THERAPEUTIC EFFECTS. IT'S JUST THAT
17 THEIR ACTIONS ON SYSTEMS SUCH AS THE LIVER OR THE SKIN,
18 THAT ARE BEING DAMAGED RATHER THAN BEING HELPED.

19 SO THERE'S NO FUNDAMENTAL DIFFERENCE BETWEEN
20 TOXICOLOGICAL ACTIONS AND -- AND VALUABLE DRUG ACTIONS,
21 OTHER THAN THAT THE TOXICOLOGICAL ACTIONS PRODUCE AN
22 UNDESIRABLE EFFECT.

23 MR. BLESSEY: I'D LIKE TO READ FROM DR. WOLFF'S
24 DEPOSITION TRANSCRIPT ON PAGE 35, LINES 19 THROUGH 23.

25 MR. NEWHOUSE: NO OBJECTION.

26 THE COURT: READ IT.

27 BY MR. BLESSEY:

28 Q "QUESTION: HAS THERE BEEN ANY ASPECT OF

1 YOUR PROFESSIONAL EXPERIENCE OVER ALL OF THE
2 YEARS OF YOUR WORK IN WHICH YOU HAVE HAD
3 OCCASION TO FORMULATE OPINIONS ABOUT CAUSE OF
4 DEATH OR THE TOXICOLOGICAL ASPECTS OF A
5 DEATH?

6 "ANSWER: NO."

7 NOW, YOU RETIRED FROM YOUR PROFESSION AS A
8 CHEMICAL PHARMACEUTIST IN 1995, CORRECT?

9 A LET ME THINK ABOUT THAT. I THINK THAT'S
10 CORRECT, YEAH.

11 Q AND WHAT YOU DID AFTER YOU RETIRED IS YOU
12 FORMED AN "S" CORPORATION. WHAT WAS THE NAME OF IT?

13 A INTELLIPHARM, INCORPORATED.

14 Q AND THAT'S A CORPORATION THAT'S BASICALLY
15 YOU WORKING OUT OF YOUR HOUSE, CORRECT?

16 A YES.

17 Q YOU'VE GOT ONE ROOM THAT YOU WORK IN, AND
18 YOU'VE GOT YOUR WIFE WORKING IN ANOTHER ROOM, CORRECT?

19 A THAT'S RIGHT.

20 Q AND THAT 38,000-PLUS FEE THAT YOU CHARGED
21 WAS PAID TO YOUR CORPORATION, CORRECT?

22 A IT WAS.

23 Q THAT'S SO YOU COULD TAKE ADVANTAGE OF THE
24 TAX BENEFITS, TRUE?

25 A I DON'T THINK THAT THE 38,000 HAS BEEN PAID.
26 IT'S OWING.

27 Q WELL, IT WAS CHARGED, CORRECT?

28 A IT'S OWING, OKAY?

1 Q OKAY. SINCE WE'RE ON THAT POINT, SINCE
2 AUGUST OF 2012, INCLUDING YOUR TIME HERE IN TRIAL, HOW
3 MUCH MORE ARE YOU GOING TO BILL ON THIS CASE?

4 A I DON'T KNOW. I THINK -- I THINK THE
5 LAST -- WHAT I REMEMBER IS THAT THE LAST NUMBERS ARE
6 SOMEWHERE UNDER 30,000, AND I DON'T SEE A LOT MORE.
7 THERE'S THIS -- AS YOU SAID, OTHER THAN THIS TRIAL, I
8 DON'T SEE A LOT MORE. THIS IS THE END.

9 Q OKAY. AUGUST OF 2012 --

10 A YEAH.

11 Q -- TO NOVEMBER THE 5TH, 2013, DID YOU DO ANY
12 WORK ON THE CASE, DR. WOLFF?

13 A YOU KNOW WHAT? I DON'T -- I DON'T KNOW.
14 I -- I CERTAINLY PREPARED THE SLIDE EXHIBIT, SO I DON'T
15 HAVE THESE NUMBERS IN MY HEAD, AND I DON'T WANT TO GUESS.

16 Q YOU CERTAINLY BILL -- YOU'VE PUT IN TIME
17 OVER THAT OVER-A-YEAR PERIOD OF TIME I JUST REFERRED TO,
18 CORRECT?

19 A YES, OF COURSE.

20 Q BUT YOU JUST HAVE NO IDEA HOW MANY HOURS?

21 A THAT'S RIGHT.

22 Q NOW, I THINK THAT WE ESTABLISHED IN DIRECT
23 EXAM, THIS IS THE ONLY MEDICAL MALPRACTICE CASE THAT
24 YOU'VE BEEN RETAINED ON SINCE YOU STARTED REVIEWING CASES
25 IN OR AROUND 2002, CORRECT?

26 A MEDICAL MALPRACTICE, THAT'S CORRECT, YES.

27 Q AND YOU HAVE NEVER BEFORE GIVEN AN OPINION
28 IN YOUR CAPACITY AS AN EXPERT IN CASES INVOLVING

1 POSTMORTEM FINDINGS REGARDING TOXICOLOGY AND THE CAUSE OF
2 DEATH, TRUE?

3 A YES.

4 Q AND, TO SAY IT ANOTHER WAY, YOU'VE NEVER
5 PREVIOUSLY RENDERED AN OPINION AS AN EXPERT IN A
6 MEDICAL-LEGAL CASE ABOUT THE CAUSE OF DEATH, TRUE?

7 MR. NEWHOUSE: OBJECTION. ASKED AND ANSWERED,
8 CUMULATIVE.

9 THE COURT: I THINK HE'S NOW INCLUDING WORKERS'
10 COMP, ARE YOU NOT, ANYTHING OTHER THAN --

11 MR. BLESSEY: THE WHOLE GAMUT, THE WHOLE GAMUT,
12 YOUR HONOR.

13 THE COURT: ALL RIGHT.

14 BY MR. BLESSEY:

15 Q ISN'T THAT TRUE, SIR?

16 A IF YOU'RE INCLUDING WORKERS' COMP, THAT'S
17 CORRECT.

18 Q YOU ADVERTISE FOR YOUR WORK AS AN EXPERT,
19 DON'T YOU?

20 A I HAVE A WEBSITE THAT LISTS WHAT I AM DOING.
21 IF YOU WANT TO CALL THAT ADVERTISING, THAT'S YOUR
22 PRIVILEGE, BUT I DON'T PUBLISH ANYTHING IN THE NEWSPAPERS,
23 IF THAT'S WHAT YOU'RE REFERRING TO.

24 Q WELL, YOU DO BELONG TO AN ASSOCIATION CALLED
25 JURISPRO, CORRECT?

26 A YES, I DO.

27 Q AND JURISPRO IS AN ENTITY THAT YOU PAY A FEE
28 FOR EVERY YEAR SO YOUR NAME CAN BE LISTED SO LAWYERS LIKE

1 MR. NEWHOUSE CAN LOOK YOU UP AND FIND YOU AS AN EXPERT FOR
2 A CASE LIKE THIS?

3 A THAT'S TRUE.

4 Q AND WHAT'S THE FEE, 500 -- \$500 A YEAR?

5 A SOMETHING LIKE THAT. YES.

6 Q NOW, YOU ADVERTISE AND PAY THAT FEE BECAUSE
7 YOU'RE HOPING TO GET A RETURN ON YOUR INVESTMENT, WOULD
8 YOU AGREE?

9 MR. NEWHOUSE: OBJECTION. COMPOUND. ASSUMES FACTS
10 NOT IN EVIDENCE.

11 THE COURT: OVERRULED.

12 THE WITNESS: I FEEL IT'S AN EFFECTIVE USE OF
13 MONEY, YES.

14 BY MR. BLESSEY:

15 Q OKAY. AND IT WAS VERY EFFECTIVE IN THIS
16 CASE BECAUSE WE KNOW YOU'VE BILLED AT LEAST \$38,000-PLUS,
17 CORRECT?

18 MR. NEWHOUSE: OBJECTION. ASSUMES FACTS NOT IN
19 EVIDENCE. ARGUMENTATIVE.

20 THE COURT: IT'S IN THE DEPOSITION, BUT
21 ARGUMENTATIVE. SUSTAINED.

22 MR. BLESSEY: YOUR HONOR, IT'S CROSS-EXAMINATION.

23 THE COURT: WE'VE BEEN OVER IT.

24 BY MR. BLESSEY:

25 Q OKAY. DID YOU TELL ANY OF THE ATTORNEYS AT
26 MR. NEWHOUSE'S FIRM WHEN THEY CONTACTED YOU AND WERE
27 CONSIDERING YOU AS AN EXPERT THAT YOU'VE RENDERED AN
28 OPINION IN A MEDICAL MALPRACTICE CASE BEFORE ABOUT THE

1 CAUSE OF DEATH, THAT THIS IS OUTSIDE OF YOUR AREA OF
2 EXPERTISE, AND MAYBE THEY SHOULD LOOK FOR SOMEBODY ELSE?
3 DID YOU TELL THEM THAT?

4 MR. NEWHOUSE: OBJECTION. ARGUMENTATIVE, YOUR
5 HONOR. WHY WOULD HE SAY THAT?

6 THE COURT: OVERRULED.

7 THE WITNESS: I DON'T RECALL.

8 BY MR. BLESSEY:

9 Q YOU MAY HAVE?

10 A I SPOKE TO THE ATTORNEYS, AND I REALLY DON'T
11 RECALL WHAT I TOLD THEM YEARS AGO.

12 Q THERE ARE SOME OPINIONS OF THE CORONER THAT
13 YOU OBVIOUSLY DISAGREE WITH, CORRECT?

14 A I DISAGREE WITH THE NUMBER OF TABLETS, AS I
15 POINTED OUT TODAY.

16 Q YOU ALSO DISAGREE THAT, BUT FOR THE
17 TRAMADOL, THIS POOR WOMAN WOULD HAVE SURVIVED, CORRECT?

18 A I DIDN'T HEAR THAT. WHAT?

19 Q LET ME TRY IT AGAIN. HYPOTHETICALLY, IF THE
20 CORONER TOOK THE STAND THIS MORNING AND WENT OVER HIS
21 RESULTS AND TOLD THIS JURY THAT THE TRAMADOL WAS AT LETHAL
22 LEVELS AND IT BY ITSELF CAUSED -- WOULD HAVE CAUSED THE
23 DEATH IN THIS CASE, YOU DISAGREE WITH THAT OPINION,
24 CORRECT?

25 A OF COURSE.

26 Q YOU DON'T HOLD YOURSELF OUT AS A CORONER IN
27 THE MEDICAL COMMUNITY, DO YOU?

28 A ABSOLUTELY NOT.

1 Q WHY NOT?

2 MR. NEWHOUSE: OBJECTION. THAT'S ARGUMENTATIVE,
3 YOUR HONOR.

4 THE COURT: SUSTAINED.

5 BY MR. BLESSEY:

6 Q YOU DON'T HAVE ANY TRAINING, EDUCATION, AND
7 EXPERIENCE IN THE FIELD, IN THE SUBSPECIALTY OF BEING A
8 CORONER, DO YOU, AS A PATHOLOGIST?

9 A I DO NOT.

10 Q IN FACT, YOU DON'T HOLD YOURSELF OUT AS A
11 CLINICAL PHARMACOLOGIST, DO YOU?

12 A NO, I DO NOT.

13 Q AND THAT'S BECAUSE YOU HAVEN'T HAD ANY
14 INVOLVEMENT AS A PHARMACOLOGIST IN THE CLINICAL SCENARIO,
15 THAT IS, INTERACTING WITH PATIENTS, DOCTORS, AND THE LIKE,
16 TRUE?

17 A THAT'S NOT WHAT I USUALLY DO.

18 Q YOU BELIEVE -- LET ME BACK UP.

19 AT THE TIME OF YOUR DEPOSITION, AS TO THE
20 NORCO, YOU TOLD US THAT IT WAS QUESTIONABLE THAT THE
21 HYDROCODONE OR NORCO WAS INVOLVED WITH THE DEATH, TRUE?

22 A I HAVEN'T LOOKED AT THAT, BUT I RECALL THAT,
23 AS WE WENT ON, I DID SAY THAT IT WAS INVOLVED. THERE ARE
24 VARIOUS QUOTES ON IT, KIND OF TALKED ABOUT THAT AT VARIOUS
25 POINTS, AND AS I RECALL, I DID SAY THAT NORCO WAS
26 INVOLVED.

27 Q WELL, I'D LIKE TO READ FROM --

28 A ARE YOU GOING TO READ ALL OF IT? BECAUSE

1 I'M SAYING THAT IT WAS AT VARIOUS POINTS.

2 THE COURT: WELL, HE CAN READ A PORTION AND THE
3 LAWYER FOR --

4 MR. BLESSEY: YOUR HONOR, I'M SORRY. THANK YOU.

5 Q MAYBE IN THE INTEREST OF TIME -- SO YOU'RE
6 TELLING THE JURY THAT THERE WERE POINTS IN THE DEPOSITION
7 YOU MIGHT HAVE SAID YOU WERE UNSURE, BUT LATER ON YOU SAID
8 YOU THINK MAYBE IT REALLY IS IMPLICATED IN THE CAUSE OF
9 DEATH. IS THAT WHAT YOU'RE TELLING US?

10 A I DON'T KNOW IF I SAID I WAS UNSURE. I'M
11 SAYING THAT I RECALL THAT BY THE TIME THE DEPOSITION WAS
12 OVER, I DID SAY THAT IT WAS INVOLVED.

13 Q WAS THERE A POINT EARLIER IN THE DEPOSITION
14 WHEN YOU INDICATED SOME AMBIVALENCE ABOUT THAT OPINION?

15 A IT'S POSSIBLE. I DON'T RECALL.

16 Q OKAY. NOW, THE OTHER OPINION THAT YOU
17 RENDERED AT THE TIME OF YOUR DEPOSITION WAS THAT, HAD
18 PERCOCET NOT BEEN TAKEN OR INGESTED, YOU BELIEVED THAT THE
19 NET EFFECT WOULD HAVE BEEN FOR MS. DE ROGATIS, A LONG
20 SLEEP WITHOUT ANY CONSEQUENCES TO HER WELL-BEING, TRUE?

21 A IF PERCOCET HAD NOT BEEN TAKEN; IS THAT
22 CORRECT? IS THAT WHAT YOU SAID?

23 Q YES.

24 A I THINK I DID SAY THAT, YEAH.

25 Q DID MR. NEWHOUSE TELL YOU THAT THE JURY
26 HEARD A DIFFERENT OPINION FROM THE CORONER THIS MORNING?

27 MR. NEWHOUSE: OBJECTION. ARGUMENTATIVE, YOUR
28 HONOR.

1 THE COURT: OVERRULED.

2 THE WITNESS: MR. NEWHOUSE DID NOT DISCUSS THAT
3 WITH ME.

4 MR. BLESSEY: YOUR HONOR, I HAVE NOTHING FURTHER
5 FOR THE DOCTOR AT THIS POINT.

6 THE COURT: REDIRECT?

7 MR. NEWHOUSE: IN THE INTEREST OF MOVING THIS
8 ALONG, A VERY BRIEF REDIRECT, YOUR HONOR.

9

10 REDIRECT EXAMINATION

11 BY MR. NEWHOUSE:

12 Q DR. WOLFF, DO YOU CONSIDER THE SUBJECT OF
13 YOUR TESTIMONY TODAY OUTSIDE YOUR AREA OF EXPERTISE?

14 A NOT AT ALL. I -- I HAVE BEEN INVOLVED IN
15 THE MECHANISM OF DRUG ACTION FOR DECADES, AND IT'S A
16 SUBJECT OF GREAT INTEREST TO ME, AND I THINK MOST OF WHAT
17 WE WERE TALKING ABOUT TODAY WAS THE MECHANISM OF DRUG
18 ACTION, AND MOST OF THE CONCLUSIONS I DREW WERE BASED ON
19 THAT.

20 Q DR. WOLFF, IS THERE ANY AMOUNT, WHATEVER THE
21 AMOUNT OF MONEY THAT HAS BEEN PAID TO YOU OR IS OWED TO
22 YOUR FIRM -- HAS RECEIPT OF ANY OF THAT MONEY IN ANY WAY
23 INFLUENCED YOUR ANALYSIS AND YOUR OPINIONS?

24 A NO, IT HAS NOT. I PRIDE MYSELF ON GIVING AN
25 HONEST OPINION, AND I THINK MANY, MANY EXPERTS DO THAT.
26 SO I DON'T HAVE TO LIE TO -- TO BE AN EXPERT. AND IF I
27 DID, I WOULD STOP BEING AN EXPERT.

28 MR. NEWHOUSE: NO FURTHER QUESTIONS, YOUR HONOR.

1 THANK YOU.

2 MR. BLESSEY: NOTHING FURTHER, YOUR HONOR.

3 THE COURT: ALL RIGHT. DO WE HAVE ANOTHER WITNESS
4 TODAY?

5 MR. NEWHOUSE: WE DO NOT, YOUR HONOR.

6 THE COURT: WE'RE DONE?

7 MR. NEWHOUSE: WE'RE DONE FOR THE DAY.

8 THE COURT: WE'RE DONE FOR THE DAY, LADIES AND
9 GENTLEMEN. 9:30 RATHER THAN NINE O'CLOCK. 9:30 TOMORROW
10 MORNING.

11 AGAIN, PLEASE REMEMBER THE ADMONITION OF THE
12 COURT. DO NOT DISCUSS THE FACTS OF THIS CASE AMONGST
13 YOURSELVES OR WITH ANYBODY ELSE. DO NOT FORM ANY OPINIONS
14 OR CONCLUSIONS ON THIS MATTER UNTIL IT'S FINALLY SUBMITTED
15 TO YOU. COURT'S IN RECESS UNTIL 9:30.

16

17 (AT 3:30 P.M. THE PROCEEDINGS WERE
18 ADJOURNED UNTIL WEDNESDAY, NOVEMBER
19 6, 2013, AT 9:30 A.M.)

20

21

22

23

24

25

26

27

28